

SUPERIOR COURT OF THE STATE OF CALIFORNIA
FOR THE COUNTY OF LOS ANGELES - CENTRAL DISTRICT

CASEY CONNOR MORSE,)
)
 Plaintiff,)
)
 vs.) Case No. BC471230
)
 APARTMENT INVESTMENT AND MANAGEMENT)
 COMPANY dba and/or aka AIMCO)
 PROPERTIES, LP; JOHN DOE HUMAN)
 PERSONS and/or ENTITY PERSONS;)
 MAYER BEVERLY PARK, LP, a)
 California limited partnership;)
 OP PROPERTY MANAGEMENT, LP, a)
 Delaware limited partnership; and)
 DOES 1 through 25, inclusive,)
)
 Defendants.)
 _____)

DEPOSITION OF DENNIS HOOPER, M.D.
 Los Angeles, California
 Wednesday, October 31, 2012

Reported By:
 Katherine McCoy, CSR
 CSR No. 11157

1 SUPERIOR COURT OF THE STATE OF CALIFORNIA
2 FOR THE COUNTY OF LOS ANGELES - CENTRAL DISTRICT
3
4 CASEY CONNOR MORSE,)
5)
6 Plaintiff,)
7 vs.) Case No. BC471230
8)
9 APARTMENT INVESTMENT AND MANAGEMENT
10 COMPANY dba and/or aka AIMCO)
11 PROPERTIES, LP; JOHN DOE HUMAN)
12 PERSONS and/or ENTITY PERSONS;)
13 MAYER BEVERLY PARK, LP, a)
14 California limited partnership;)
15 OP PROPERTY MANAGEMENT, LP, a)
16 Delaware limited partnership; and)
17 DOES 1 through 25, inclusive,)
18)
19 Defendants.)
20 _____)
21
22 Videotaped Deposition of DENNIS HOOPER, M.D.,
23 taken on behalf of the Defendants, at the law office
24 of Wood, Smith, Henning & Berman, 10960 Wilshire
25 Boulevard, 18th Floor, Los Angeles, California,
commencing at 9:41 a.m., on Wednesday, October 31,
2012, reported by Katherine McCoy, CSR No. 11157, a
Certified Shorthand Reporter in and for the State of
California.

2

1 APPEARANCES:
2 For the Plaintiff:
3 PARRISH & SHAW
4 BY: LARRY E. PARRISH, ESQ.
5 775 Ridge Lake Boulevard
6 Suite 145
7 Memphis, Tennessee 38120
8 (901) 766-4388
9 (901) 766-4389 Fax
10 parrish@parrishandshaw.com
11
12 For the Defendant:
13 WOOD, SMITH, HENNING & BERMAN, LLP
14 BY: VICTORIA L. ERSOFF, ESQ.
15 10960 Wilshire Boulevard
16 18th Floor
17 Los Angeles, California 90024-3804
18 (310) 481-7600
19 (310) 481-7650 Fax
20 versoff@wshblaw.com
21
22 Also Present:
23 JON SEIDEL
24 Videographer
25

3

I N D E X

EXAMINATION:	PAGE
Mr. Parrish	8
Ms. Ersoff	141

E X H I B I T S

NUMBER:	PAGE
Exhibit 1 Hooper rebuttal to Article: One doctor's long trail of dangerous mistakes, part 3 of 5, by Times staff writers T. Weber, C. Ornstein, 18 pages	48
Exhibit 2 Agreement for services, 8/7/12 3 pages	77
Exhibit 3 Mycotoxin panel report form, 1/13/11 2 pages	80
Exhibit 4 Affidavit of D. Hooper plus attachments, 6/6/11, 150 pages	95
Exhibit 5 Affidavit of D. Hooper, 10/18/11 Sugar Creek Interiors vs. Aquarium Design Group, 7 pages	114
Exhibit 6 E.C. 402 Hearing transcript 4/22/10 Rivera vs. Aimco, 31 pages	116
Exhibit 7 Article Short Scientific Communication Sinonasal disorders: Measurement of Mycotoxins in patients with chronic rhinosinusitis, 2 pages	117
Exhibit 8 IFCC Volume 24, Number 34: Protocols for determination of limits of detection and limits of quantitation;	124

4

I N D E X (CONTINUED)

E X H I B I T S

NUMBER:	PAGE
Exhibit 9 2010 Laboratory accreditation program audioconference, W. Castellani, M.D., 4/21/10 15 pages	124
Exhibit 10 International Journal of Molecular Sciences article: Mycotoxin detection in Human Samples, 2009 11 pages	125
Exhibit 11 Patent application 2/21/08 No. WO 2008/021970 A2, 22 pages	126
Exhibit 12 International Journal of Molecular Sciences article: Assessment of Aspergillus fumigatus in Guinea Pig 2011, 10 pages	128
Exhibit 13 42 USC 263a Certification of laboratories, 1/5/09, 6 pages	130
Exhibit 14 College of American Pathologists CAP accreditation program, 7/11/11 11 pages	131
Exhibit 15 Medscape article: CBRNE T-2 Mycotoxins, by C.W. Park, 7/9/10 3 pages	133
Exhibit 16 Rankin vs. Kerston Trust trial transcript, 11/5/08, D. Hooper testimony, 16 pages	135
Exhibit 17 NCCLS Volume 24, Number 25: Evaluation of precision performance of quantitative measurement methods by D. Tholen, 6 pages	135
Exhibit 18 Plaintiff's second amended designation of expert witnesses 19 pages	157

5

1 I N D E X (CONTINUED)
2 EXHIBITS

3 NUMBER:	PAGE
4 Exhibit 19 Notice of taking deposition and production of documents, 11 pages	160
5 Exhibit 20 RealTime Laboratories Invoice No RTL-04-19-11-04, 4/19/11, 1 page	162
6 Exhibit 21 D. Hooper legal fee schedule, 1 page	163
7 Exhibit 22 OCCUP Environ Med 1998; 55: 579-584 Correlation between the prevalence of certain fungi and sick building syndrome by J.D. Cooley, et al.	163
8 6 pages	
9 Exhibit 23 Authorization for release of health information of C. Morse, 3/29/12	164
10 3 pages	
11 Exhibit 24 Book: Damp Indoor Spaces and Health Summary of findings regarding the association between health outcomes and exposure to damp indoor environments, 3 pages	178
12 Exhibit 25 E-mail from D. Hooper to K. Kilburn re: Casey Morse, 12/30/10, 2 pages	201
13 Exhibit 26 Neogen, NeoColumn product information sheet, 8 pages	216
14 Exhibit 27 Article: World Health Organization: Dampness and Mould, WHO guidelines for indoor air quality, 2009	223
15 248 pages	

21
22
23
24
25

6

1 Los Angeles, California, Wednesday, October 31, 2012
2 9:41 a.m.

3
4

5 THE VIDEOGRAPHER: We are on the record.
6 Good morning. This is the videotaped
7 deposition of Dennis Hooper, M.D., taken on behalf of
8 the plaintiff in the matter of Casey Connor Morse versus
9 Apartment Investment and Management Company,
10 Case Number BC471230.
11 Today's date is Wednesday, October 31, 2012 and
12 the time is 9:41 a.m. We are located at 10960 Wilshire
13 Boulevard in Los Angeles, California.
14 My name is Jon Seidel, a legal video specialist
15 with Alpha Legal Productions located at 236 Adams in
16 Memphis, Tennessee.
17 Will all counsel please identify themselves for
18 the record.
19 MR. PARRISH: I'm Larry Parrish. I represent the
20 plaintiff Casey Connor Morse.
21 MS. ERSOFF: Victoria Ersoff, counsel for
22 defendants.
23 MR. PARRISH: For the record, the plaintiff is here,
24 Casey Connor Morse, she's sitting with me.
25 ///

7

1 DENNIS HOOPER, M.D.,
2 produced as a witness, and having been first duly sworn
3 by the Certified Shorthand Reporter, was examined and
4 testified as follows:
5
6 EXAMINATION
7 BY MR. PARRISH:
8 Q. Will you state your full name, please, sir.
9 A. Dennis Glenn Hooper.
10 Q. And are you referred to as Dr. Hooper?
11 A. Yes.
12 Q. And what -- by what authority do you claim the
13 title Dr. Hooper?
14 A. I have a Ph.D. in microbiology from the
15 University of California and an M.D. from University of
16 Nevada, Reno.
17 Q. And your Ph.D. was received when?
18 A. 1982.
19 Q. And that was the University of California at
20 where?
21 A. At Davis, California.
22 Q. Your Ph.D. is in what field?
23 A. Microbiology.
24 Q. And briefly, what is microbiology and what
25 disciplines are included in microbiology?

8

1 A. Microbiology is the study of bacteria, viruses,
2 and fungus and parasites and any other protozoa or
3 living organisms that are not human, and it involves
4 their cellular structures as well as their activities
5 intracellular.
6 Q. And what does it take to earn a Ph.D. in
7 microbiology?
8 A. You have to take the instructed courses that
9 the committees set forth for you and then you sit for an
10 oral exam and then you write a thesis.
11 Q. And what -- do you recall what your thesis was
12 entitled?
13 A. Yes. It was -- I worked on neisseria gonorrhoea
14 which was gonorrhoea and I worked on the cellular
15 structure of gonorrhoea and antibodies that can be used
16 to detect that organism.
17 Q. You used the word "fungus" when you were
18 describing one of the subject matters of microbiology.
19 What is fungus?
20 A. Fungus is an organism that has D.N.A. and it
21 has a cell structure and a cell wall and it's most
22 commonly called mold in the layman's term.
23 Q. And did your work in microbiology toward your
24 Ph.D. include study about mold?
25 A. My thesis did not, no, but my course work did.

9

<p>1 Q. Prior to that time when you were earning your 2 Ph.D. did you have any familiarity from a professional 3 standpoint in mold? 4 A. Yes. 5 Q. What was your first experience that involved 6 you professionally in mold? 7 A. I worked in clinical laboratories that -- as a 8 microbiologist and as a technologist where we looked at 9 different organisms in bacteriology, and that is looking 10 at bacteria and then we had to identify molds. 11 Q. How do you identify mold in that context? 12 A. In clinical specimens is specifically from 13 human specimens, you put them on -- you take the 14 specimen, be it a urine specimen, a stool culture, a 15 throat culture, whatever, and you put it on different 16 types of media. And media are plates that have 17 different nutrients in them that the fungi or the molds 18 will grow in. 19 Q. Now, when did you receive your M.D.? 20 A. In 1983. 21 Q. So that was a year after your Ph.D.? 22 A. That is correct. 23 Q. Was there any reason why you had a Ph.D. and an 24 M.D.? Do they interrelate in some way? 25 A. Yes. I joined the Navy in 1976 as a master's.</p> <p style="text-align: right;">10</p>	<p>1 A. November 20, 1949. 2 Q. And that makes you how old now? 3 A. 62. 4 Q. And where were you born and raised? 5 A. I was born in Ely, E-L-Y, Nevada and I was 6 raised there, went to high school there, and then I went 7 to the University of Utah in Salt Lake City as an 8 undergraduate and got my bachelor's degree in 9 microbiology. 10 Q. You continued in your education from the time 11 you were a bachelor student through your Ph.D.? 12 A. Correct. 13 Q. You said you were in the Navy at some point. 14 Were you in the Navy while you were earning your 15 degrees? 16 A. Yes. 17 Q. When did you join the Navy? 18 A. I joined in 1976. 19 Q. As what? Were you O.C.S. director? 20 A. No. I joined as a microbiologist. And they 21 brought me in as a lieutenant in the medical service 22 corps and sent me to San Diego. 23 Q. And for how long did you have tenure in the 24 Navy? 25 A. Over all, I've been in 25 years. I retired in</p> <p style="text-align: right;">12</p>
<p>1 I had my master's in microbiology and was sent to 2 San Diego Naval Hospital and put in charge of 3 microbiology there and parasitology and mycology which 4 is the study of fungus and molds, and decided I wanted 5 to go to med school and continued to do my Ph.D. work 6 out of U.C. Davis. 7 I -- prior to joining the Navy I was a Ph.D. 8 candidate at U.C. Davis and joined the Navy, went to 9 San Diego, continued to do my Ph.D. work, and decided at 10 that time I would go to med school at the same time. 11 So applied to med in 1978 and got in, in 1979 12 at the University of Nevada, the fall class, and 13 transferred to Reno which was only two hours away from 14 U.C. Davis and so every weekend for two-and-a-half years 15 I traveled from Reno to Davis to work with my committee 16 on my Ph.D. thesis. And then I was able to do my Ph.D. 17 thesis at the University of Nevada, Reno. 18 So my research was done on campus at Reno and 19 then my thesis was written with the committee at 20 U.C. Davis. 21 Q. So there was a time when you overlapped in your 22 academic studies working towards your Ph.D. and working 23 towards your medical degree? 24 A. That's correct. 25 Q. What is your birth date?</p> <p style="text-align: right;">11</p>	<p>1 2001. 2 Q. And track your military career. 3 A. I joined the Navy in 1976, went to San Diego 4 Naval Hospital as a microbiologist. In 1979 I started 5 med school at the University of Nevada, Reno as an 6 ensign in the medical corps. The Navy paid my way 7 through and paid me a stipend to go through school, 8 In 1983, I graduated and went back into medical 9 corps as a lieutenant commander at Naval Hospital 10 San Diego in path- -- well, first year was flexible 11 medicine which is the same as general practice. They 12 required that all of us knew every area so if we went on 13 ships. 14 And then at the end of that year I got accepted 15 to the pathology program and went through pathology 16 until 1988. And then I finished my program 1980- - and 17 then 1988 I was transferred to Naval Hospital of 18 San Diego, the same place, as a head of microbiology, 19 the pathologist running microbiology at that time and 20 blood bank. 21 And then eight months later I was selected to 22 become chairman of pathology at Naval Hospital 23 San Diego, 1989. 24 Q. What is chairman of pathology? What does that 25 mine.</p> <p style="text-align: right;">13</p>

<p>1 A. It's a lot of work. It's overseeing -- we 2 oversaw the entire laboratory. I oversaw the entire 3 laboratory, along with all of my staff. We had 300 med 4 techs and civilians who worked for us throughout 5 San Diego, Palm -- well, 29 Palms and Marine Corps 6 recruit depot, et cetera, and they manned all the 7 laboratory clinics. 8 And then I had 12 pathologists who I wrote 9 fitness reports on or evaluations, and then we had a 10 pathology program of eight pathology residents, and so I 11 was responsible directly to the admiral of our command 12 to run our department financially and every other way. 13 Q. And your rank at that time? 14 A. I was an O5 which is commander. In the Navy 15 officers run from O1 to O6. O6 being a captain which is 16 equivalent to a colonel in the Air Force or Army. I was 17 an O5 when I was selected as a chairman. And O5 is 18 commander which is equivalent to a lieutenant colonel. 19 And so I wrote fitness reports for colonels or 20 captains. 21 Q. And did your rank ever change after that? 22 A. Yes. Two years later I was deselected to 23 captain or colonel to oversee the laboratory, and then 24 immediately I was given the position as director of 25 ancillary services in which all the chairman's from</p> <p style="text-align: right;">14</p>	<p>1 And I worked at that time -- during in my 2 reserve time I worked at the naval amphibious basin in 3 Point Loma as an overseer of their college pathology 4 laboratory. 5 Q. You said they investigated for two years and 6 found nothing. 7 A. No. 8 Q. Was that because there was nothing to find? 9 MS. ERSOFF: Objection, leading. 10 THE WITNESS: That is true. There was nothing to 11 find. 12 MS. ERSOFF: Move to strike. 13 BY MR. PARRISH: 14 Q. What about the photographs? 15 A. There was no photograph. They couldn't find 16 any photograph. 17 MS. ERSOFF: Leading. 18 BY MR. PARRISH: 19 Q. In the reserve -- when you went into the 20 reserves, did you have any other occupation or career 21 other than the Navy while you were in the reserves? 22 A. Yeah. I started a consulting group in 23 San Diego as a consultant in various areas of 24 microbiology. 25 Q. I asked you about microbiology. Would you</p> <p style="text-align: right;">16</p>
<p>1 radiology, physical therapy, pharmacology and pharmacy 2 answered to the director of ancillary services who, 3 then, answered to the admiral of command. 4 Q. Did your rank remain the same? 5 A. I was an O6 which is captain. 6 Q. All right. And did that complete your career 7 in the Navy? 8 A. No. 9 Q. What was the remainder? 10 A. In 1994, I had a complaint registered about me 11 concerning I stole a truck of a large piece of equipment 12 which is about as big as this table and they had 13 supposed pictures of me taking this piece of equipment 14 and which was call a flow cytometer (phonetic). And 15 they put -- I put it in a truck and I transported it 16 out, which was not true. 17 And I -- because there was a complaint, it 18 registered through the naval criminal investigation 19 services, an issue, and for two years they investigated 20 that and there was nothing, they couldn't find anything. 21 And I was somewhat distraught about that, that they 22 continued this for so long and so I asked to be released 23 from active duty and I went into the reserves in 1994 as 24 an O6 or captain, and I was -- I stayed in the reserves 25 until 2001 where I retired.</p> <p style="text-align: right;">15</p>	<p>1 explain what pathology is. 2 A. Pathology is the study of human organs and 3 human disease processes that effect human organs and 4 human systems and it's divided into two areas. Anatomic 5 pathology and clinical pathology. 6 Anatomic pathology involves looking at tissues, 7 making diagnosis of tissues, and cells in disease 8 processes, i.e., cancer, any type of inflammatory 9 response. And it involves reading Pap smears. It 10 involves reading tissue of tumors and it involves doing 11 autopsy. 12 Clinical pathology is the involvement of 13 looking at human specimens, i.e, urine, blood, serum, 14 spinal fluid, cultures and making assessments of those 15 types of specimens. 16 Q. When you say culture, what do you mean? 17 It's -- you may use that term throughout your 18 deposition, so I'd ask you to define it. 19 A. A culture is something if -- for an example, if 20 you have a sore throat, you go to your physician, they 21 do a throat culture where they take a cotton swab, put 22 it in your throat, swab the area that hurts, and then 23 they send it to the lab. The laboratory puts it on a 24 media plate with certain nutrients and they put it in an 25 incubator and they grow it for 24 hours to four weeks,</p> <p style="text-align: right;">17</p>

<p>1 depending on what type of specimen and what they're 2 looking for.</p> <p>3 And then they -- the lab tech will look at 4 those and work them up as to doing biochemical 5 reactions, stains, et cetera, to diagnose what they are.</p> <p>6 Q. When you say "lab," are you referring to a 7 particular kind of lab?</p> <p>8 A. A microbiology or bacteriology or mycology lab. 9 Mycology is the study of fungus.</p> <p>10 Q. Have you done all of that yourself personally?</p> <p>11 A. Yes.</p> <p>12 Q. Plus supervised, as you stated?</p> <p>13 A. Yes.</p> <p>14 Q. Is there such a thing as a Ph.D. in pathology?</p> <p>15 A. Yes.</p> <p>16 Q. Is that a medical -- that's not a medical 17 degree or is it a medical?</p> <p>18 A. No, it's not a medical degree. They study 19 disease processes, but they do not have a medical 20 degree.</p> <p>21 Q. What can a pathologist who does have a medical 22 degree do that a pathologist who has a Ph.D. cannot do?</p> <p>23 A. Can anatomic pathic can make diagnoses of 24 malignancies.</p> <p>25 Q. "They" being?</p> <p style="text-align: right;">18</p>	<p>1 Q. Is there anything that prohibits a medical 2 doctor from practicing medicine in any of the 3 specialties?</p> <p>4 A. Well --</p> <p>5 Q. Officially prohibits?</p> <p>6 A. Officially, no. Officially, if you're going to 7 want to practice cardiovascular surgery, the hospital 8 that you're going to do surgery in credentials you and 9 you are not going to become credentialed if you're not 10 board certified and you are not trained in the proper 11 training and you're certainly not going to do 12 cardiovascular surgery in your office, so --</p> <p>13 Q. So are there practical inhibitions that take 14 hold as to what a particular medical doctor can do and 15 cannot do?</p> <p>16 A. I think ethically -- and you're asking me to 17 speculate.</p> <p>18 Q. Well, let's don't. Let's just go back.</p> <p>19 A. I can't do that.</p> <p>20 Q. I'll ask officially, is there anything that 21 would stop you from practicing psychiatry?</p> <p>22 A. Officially, no, other than if a state licensure 23 group came in and said you cannot practice psychiatry 24 for any reason.</p> <p>25 Q. As a matter of a practical reality, would you</p> <p style="text-align: right;">20</p>
<p>1 A. The pathologist.</p> <p>2 Q. P.A.'s?</p> <p>3 A. Ph.D.'s cannot. They can do research. They 4 can work on the clinical side as a director in a certain 5 area that they are expertise in.</p> <p>6 Q. When you got your medical degree, did you have 7 to become licensed to practice as a pathologist?</p> <p>8 A. No. You have to -- you become licensed as an 9 medical doctor.</p> <p>10 Q. What's the difference in licensure to practice 11 pathology and licensure to practice medicine?</p> <p>12 A. Licensed to practice medicine you can see 13 patients, you can diagnose them, depending on your field 14 of expertise. In pathology you wouldn't want to look at 15 slides if you didn't have that expertise. You wouldn't 16 want to go into a laboratory, nor could you, nobody 17 would give you that authority in a hospital or any 18 business sense if you had no training and no 19 certification in pathology.</p> <p>20 Q. Are there any limitations on what a medical 21 doctor can do insofar as practicing?</p> <p>22 A. Not that I'm familiar with, no.</p> <p>23 Q. So there are specialties, are there not, in 24 medicine?</p> <p>25 A. There are.</p> <p style="text-align: right;">19</p>	<p>1 practice psychiatry?</p> <p>2 A. No.</p> <p>3 Q. Did you have any board certifications or other 4 training that allowed you to practice pathology?</p> <p>5 A. I'm -- I was board certified in American Board 6 of Pathology initially in 1989 -- or 1990. And then 7 when I moved to Texas in 2001, because I hadn't taken 8 any boards for ten years, I had to take another board 9 certification in 2001 and got re-boarded, re-certified 10 in American Board of Pathology in 2001, which expired in 11 2012 and I did not renew that because I do not want to 12 take anymore tests and I don't need the board 13 certification anymore.</p> <p>14 Q. And what are the mechanics involved in becoming 15 board certified? What is the American Board of 16 Pathology?</p> <p>17 A. Each specialty -- and I think there's 16 18 throughout the United States -- has regulations and 19 specifications that an individual who wants to become 20 expertise in their field, must participate in a 21 residency program and/or a fellowship program in certain 22 disciplines. And pathology is one them where we as 23 pathologists have to participate in a certified 24 residency program in anatomic and clinical pathology 25 that the American Board of Pathology has deemed as</p> <p style="text-align: right;">21</p>

<p>1 necessary and qualified.</p> <p>2 Q. Did you do a residency?</p> <p>3 A. Yes.</p> <p>4 Q. Where did you do your residency, how long did</p> <p>5 it take and what was the result?</p> <p>6 A. Naval Hospital San Diego and I started in 1984</p> <p>7 and finished in 1988 and sat for my boards in 1990.</p> <p>8 Q. Is that a four-year residency? Is that the</p> <p>9 standard residency?</p> <p>10 A. It was a five-year residency. I got exempted</p> <p>11 because of my Ph.D. in microbiology, so I got exempted</p> <p>12 by nine months or eight months, something like that.</p> <p>13 Q. And you said you sat for your boards. That</p> <p>14 meant you did what?</p> <p>15 A. You traveled to the city that they were given</p> <p>16 in that year and you sat for three days in a timed</p> <p>17 convention center, really, where they have monitors and</p> <p>18 we took slide exams, we took kodochrome exams, we took</p> <p>19 written exams for three days, eight hours a day.</p> <p>20 Q. And that's graded by whom?</p> <p>21 A. I don't know. I really don't know. They --</p> <p>22 the Gods of pathology somewhere.</p> <p>23 Q. And after that you were board certified and</p> <p>24 that qualified you, practically speaking, to do things</p> <p>25 that you couldn't have done without that certification?</p> <p style="text-align: right;">22</p>	<p>1 Q. So you're being consulted by the surgeon,</p> <p>2 asking you to tell the surgeon what?</p> <p>3 MS. ERSOFF: Leading.</p> <p>4 THE WITNESS: Well, you are not being consulted.</p> <p>5 You are the consultant to the surgeon.</p> <p>6 BY MR. PARRISH:</p> <p>7 Q. Okay.</p> <p>8 A. And the surgeon is saying, do I have a tumor?</p> <p>9 Did I get it all out? And what is -- what do you think</p> <p>10 it is? And the majority of the times you defer, you say</p> <p>11 yes, you have a tumor, it looks like an adenocarcinoma</p> <p>12 of lung origin but we're going to wait and defer until</p> <p>13 we look at all the slides.</p> <p>14 Q. And then when you look at all the slides?</p> <p>15 A. You look at all slides, then you make a</p> <p>16 diagnosis and you may want to do more special stains and</p> <p>17 then you finally make a diagnosis. You say this is a</p> <p>18 renal cell carcinoma or kidney tumor and it's in so many</p> <p>19 lymph nodes and -- and you can -- depending on what</p> <p>20 grade we say it is and what histology grade, then we --</p> <p>21 that determines what the cancer or oncologist doctors</p> <p>22 will do as far as treatment.</p> <p>23 Q. You said that you started a consulting group</p> <p>24 when you went into the naval reserve --</p> <p>25 A. Yes.</p> <p style="text-align: right;">24</p>
<p>1 A. That is correct.</p> <p>2 Q. Including what?</p> <p>3 A. Reading slides in pathology, reading Pap</p> <p>4 smears, doing blood banking.</p> <p>5 Q. Diagnosing?</p> <p>6 A. Diagnosing, yes.</p> <p>7 Q. And what is diagnosing? What is the mechanics</p> <p>8 of diagnosing?</p> <p>9 A. For example, a patient who has a tumor found by</p> <p>10 radiology is seen by the surgeon. The surgeon, then,</p> <p>11 schedules time to do a biopsy or a radical mastectomy,</p> <p>12 for example, and they take that off, send that to the</p> <p>13 pathologist to do a frozen section.</p> <p>14 A frozen section is done where the pathologist</p> <p>15 cuts the tissue, looks at it, and tells the surgeon</p> <p>16 you've got all this tumor, we're going to make the</p> <p>17 diagnosis in the next few days what the tumor is, but</p> <p>18 you can close that patient up now.</p> <p>19 And then the pathologist proceeds to take it</p> <p>20 and put it in formaldehyde, fixes it and then</p> <p>21 re-hydrates it all back into wax. And the wax, then, is</p> <p>22 cut and put on slides and stained with various stains to</p> <p>23 look and see what the structure of the cell is, what the</p> <p>24 mosaic structure of the cells and how they fit together,</p> <p>25 and what kind of tumor it is.</p> <p style="text-align: right;">23</p>	<p>1 Q. -- is that correct?</p> <p>2 Would you track your career from that time</p> <p>3 forward.</p> <p>4 A. I went -- I was doing consulting and I would</p> <p>5 get -- I don't even remember what the consulting jobs</p> <p>6 were when I first left the Navy, but then I went in to</p> <p>7 work at -- I wanted to do H.I.V. work and looking at</p> <p>8 rapid diagnosis of fungal diseases in H.I.V. patients</p> <p>9 because I feel they are at extreme risk of these fungal</p> <p>10 organisms, as I do transplant patients.</p> <p>11 So I looked for a hospital that had patients</p> <p>12 who had H.I.V. -- a lot of H.I.V. patients which we</p> <p>13 coined in the medical area as virgin H.I.V. patients</p> <p>14 which meant they had not been treated.</p> <p>15 And in 2000, a friend of mine said there was a</p> <p>16 hospital in Los Angeles called Martin Luther King</p> <p>17 Hospital that had a significant number of H.I.V.</p> <p>18 patients who hadn't been treated and I may want to talk</p> <p>19 with them. And so I talked with the head of H.I.V. and</p> <p>20 I also talked with pathology and they were looking for a</p> <p>21 pathologist, and I said I would like to mostly do H.I.V.</p> <p>22 and fungal research and they hired me, and that's what I</p> <p>23 started doing.</p> <p>24 Q. Your entry level there was hired for what</p> <p>25 position?</p> <p style="text-align: right;">25</p>

1 A. Pathologist.
 2 **Q. Just a pathologist?**
 3 A. Yes.
 4 **Q. You said do research. What do you mean when**
 5 **you say do research in the context of the question you**
 6 **just answered?**
 7 A. The research I wanted to do -- and research is
 8 looking at different cell structures, looking at
 9 activities of organs, et cetera. I wanted to look at
 10 the development of the these diseases in these H.I.V.
 11 patients and how -- what did they do for treatment and
 12 how did they improve or what can we do as a group of
 13 physicians to help them improve, and that's basically
 14 what my proposal was.
 15 **Q. You wanted to look at, but I'm trying to get**
 16 **what you mean by "look at."**
 17 A. I wanted to study the patients who had H.I.V.
 18 and look at their -- and examine their blood cells and
 19 their antibody titers and the process of how they fight
 20 off infections and why they can't fight off infections.
 21 **Q. Were you collecting data from patient to**
 22 **patient so you were accumulating information?**
 23 A. That was my proposal. And when you want to do
 24 a proposal like that, you go to the university, which
 25 was Charles R. Drew Medical School, and you propose a

26

1 proposal called an institutional review board proposal
 2 and you give that proposal to them and you suggest this
 3 is what I'd like to do, and I had submitted that.
 4 **Q. Did you follow through with that research?**
 5 A. No.
 6 **Q. And what happened?**
 7 A. When I sent that proposal through, I
 8 immediately started getting questions from different
 9 board members as well as my own staff --
 10 **Q. Board of -- what board?**
 11 A. In the Charles R. Drew Medical School, the
 12 institutional review board committee as to what why did
 13 I want to work on certain individuals in the
 14 Martin Luther King area, i.e., Watts. And I explained
 15 that and they -- they, the board, asked me if I'd heard
 16 of the Tuskegee studies, which I had not.
 17 And subsequently found out that the American
 18 government had or U.S. Government had done some work in
 19 Tennessee, I think, Tuskegee --
 20 **Q. Alabama.**
 21 A. Is it Alabama? Okay.
 22 Anyway, they worked on different Black
 23 populations of patients who -- and I believe it was with
 24 T.B. And so that became a big issue and they blocked
 25 the I.R.B. and then the next thing I heard is --

27

1 **Q. What's A.L.B.? What's that?**
 2 A. I.R.B., Institution Review Board request.
 3 And so I left -- when I couldn't do that, I
 4 left.
 5 **Q. Left what?**
 6 A. I left Martin Luther King Hospital and went to
 7 Texas.
 8 **Q. So you were at Martin Luther King Hospital from**
 9 **when to when?**
 10 A. 2000 to 2001.
 11 **Q. Now, this Tuskegee study, or whatever you're**
 12 **referring to, that had do with -- what was the**
 13 **implication there?**
 14 A. I don't know. I really don't know. All I --
 15 they asked me if I was developing a Tuskegee experiment
 16 and I didn't know what that was, so when I found out it
 17 had something to do with Black patients and
 18 experimentation with the federal government, I went this
 19 is not good.
 20 **Q. Well, did you ever learn or were you advised**
 21 **that the Tuskegee experiment involved deliberately**
 22 **infecting some patients to determine what reaction they**
 23 **would get?**
 24 A. I later found out that, but I didn't know that
 25 at the time.

28

1 **Q. Was the research that you were proposing,**
 2 **research that had included infecting previously**
 3 **uninfected persons?**
 4 A. No.
 5 **Q. So you left the Martin Luther King employment**
 6 **in 2001?**
 7 A. That's right.
 8 **Q. And then you went to Texas?**
 9 A. I went to Texas as a medical director at
 10 East Texas Medical Center in Tyler, Texas.
 11 **Q. And did what?**
 12 A. I oversaw the clinical laboratory there.
 13 **Q. Pathology laboratory?**
 14 A. Yes, the clinical pathology. I did not read
 15 tissue.
 16 **Q. What -- let's go back to the board**
 17 **certifications again.**
 18 A. Right.
 19 **Q. Are you board certified in either clinical or**
 20 **anatomical, or both, or how does that work?**
 21 A. I was board certified in both when I took the
 22 exam and when I re-certified in 2001 and I was board
 23 certified until this year, and I did not renew it.
 24 **Q. Do you sit for different boards in anatomical**
 25 **as opposed to clinical?**

29

<p>1 A. Yes.</p> <p>2 Q. Different separate exams?</p> <p>3 A. Yes.</p> <p>4 Q. And when is the last time you did any</p> <p>5 anatomical pathology?</p> <p>6 A. In 2001 when I worked for Martin Luther King</p> <p>7 Hospital.</p> <p>8 Q. Is there any benefit to you to be board</p> <p>9 certified in anatomical?</p> <p>10 A. No.</p> <p>11 Q. Since 2001, since you left Martin Luther King</p> <p>12 Hospital, have you done only clinical?</p> <p>13 A. No. I did do some anatomic pathology at</p> <p>14 San Antonio. I went from East Texas Medical Center in</p> <p>15 2004 to Baptist Hospital in San Antonio for</p> <p>16 approximately a year and a half. And I read tissue on</p> <p>17 occasion, but I was mostly assigned to the blood bank</p> <p>18 and microbiology section at Baptist Hospital. I</p> <p>19 occasionally read tissue there.</p> <p>20 Q. You mentioned blood bank a couple of times.</p> <p>21 What involvement -- or what's the pathological necessity</p> <p>22 for blood banks?</p> <p>23 A. When you have surgery -- or when you have</p> <p>24 anemia, you have to have blood on occasion. So when you</p> <p>25 donate blood, if you're a patient -- or if you're a</p> <p style="text-align: right;">30</p>	<p>1 pathology other than that working with mycotoxins mold?</p> <p>2 A. No. We do add on occasion certain blood tests,</p> <p>3 but they all have to do with looking at antibodies to</p> <p>4 mold or looking to some disease process associated with</p> <p>5 mold or mycotoxin patients.</p> <p>6 Q. You use the two words separate, that is mold</p> <p>7 and mycotoxins. Would you say what distinctions you are</p> <p>8 making when you use those two terms separately?</p> <p>9 A. A mold is a living structure. It has D.N.A.</p> <p>10 and it has the ability to wall off its D.N.A. to protect</p> <p>11 itself in a substance called spores. So it's sort of</p> <p>12 like the capsule a spaceship can send out to another</p> <p>13 planet and maintain its people, so to speak. So it</p> <p>14 keeps its D.N.A. protected.</p> <p>15 At the same time, once the spore regenerates or</p> <p>16 opens up, it can, then, release chemicals to either</p> <p>17 protect itself from other individuals --</p> <p>18 Q. Individuals?</p> <p>19 A. Being spores.</p> <p>20 Q. Okay.</p> <p>21 A. -- or fungi or bacteria. And these are</p> <p>22 called -- these chemicals are called mycotoxins. And</p> <p>23 the reason they're called myco is because they come from</p> <p>24 the family of mycology or myc- -- fungus, and toxins,</p> <p>25 they're poisons from -- or they're chemicals from the</p> <p style="text-align: right;">32</p>
<p>1 donor, you donate blood and then it's checked for H.I.V.</p> <p>2 and Hepatitis and various other viral diseases as well</p> <p>3 as issues with liver problems. And once it's cleared</p> <p>4 through the F.D.A. specifications in the blood bank,</p> <p>5 then it is allowed to be put on the shelf at the blood</p> <p>6 bank in hospitals.</p> <p>7 And the hospitals, then, have 35 days to use</p> <p>8 that blood or discard it. And we give that to patients</p> <p>9 who have surgery where they're bleeding or they're</p> <p>10 anemic patients or cancer patients where they need a</p> <p>11 transfusion.</p> <p>12 Q. You were at Baptist Hospital in San Antonio how</p> <p>13 long?</p> <p>14 A. A year and a half.</p> <p>15 Q. And that would have taken you up to?</p> <p>16 A. 2000- -- let's see. The end of 2004. So I</p> <p>17 went to Baptist in the middle of 2003.</p> <p>18 Q. And stayed there until?</p> <p>19 A. Until the middle of 2005.</p> <p>20 Q. And in the middle of 2005 your career</p> <p>21 progressed to what?</p> <p>22 A. Well, I had moved to Dallas by that time and</p> <p>23 started RealTime Laboratories in working with mycotoxins</p> <p>24 molds.</p> <p>25 Q. All right. At this point did do you do any</p> <p style="text-align: right;">31</p>	<p>1 actual spores.</p> <p>2 Q. So from the time you moved to Dallas and you</p> <p>3 started RealTime Laboratories, you have continued in the</p> <p>4 same kind of practice non-stop?</p> <p>5 A. Right. And you could say that it's just an</p> <p>6 extension of what I've always been interested in. From</p> <p>7 the Navy I was interested in the fungus and why they</p> <p>8 attack certain patients, and then when I got into King</p> <p>9 Hospital why I was interested in the fungus and the</p> <p>10 H.I.V. patients. And then when I get to Texas, I find</p> <p>11 out the same issues occur there and I took my ideas with</p> <p>12 me and then started the lab in Dallas.</p> <p>13 Q. Let's go back to the Martin Luther King</p> <p>14 Hospital. Were there some allegations made while you</p> <p>15 were there, accusing of you certain things?</p> <p>16 A. Not while I was there.</p> <p>17 Q. Okay.</p> <p>18 A. I left and a year later in 2004 when I'm at</p> <p>19 Baptist Hospital --</p> <p>20 Q. In San Antonio.</p> <p>21 A. -- in San Antonio, I find out there is a</p> <p>22 complaint against me at the medical boards of California</p> <p>23 for misdiagnosing at least six patients on anatomic</p> <p>24 pathology. And so I challenged that and met with the</p> <p>25 investigators and the issues were that I had</p> <p style="text-align: right;">33</p>

<p>1 misdiagnosed cases in tumors and in looking at cytology 2 or similar to a Pap smear.</p> <p>3 And which surprised me, because every case that 4 I did, I always had peer review on every one. And all 5 of those cases had diagnosis of peer review. And some 6 of them had gone out to other hospitals in Los Angeles 7 or to Washington D.C. and they verified that we, 8 pathology, Martin Luther King, had -- they agreed with 9 us.</p> <p>10 So the allegation was that I had misdiagnosed 11 it, so I just thought that was -- difficult.</p> <p>12 Q. You introduced the term peer review. What are 13 you talking about when you say peer review?</p> <p>14 A. Peer review is your peers, your other 15 pathologists have -- they look at slides of yours, you 16 look at slides of theirs and you review through a 17 qualify assurance program that there are -- either they 18 agree with you or they disagree, and then they send it 19 back to you, say don't send this out, let's talk about 20 this in our -- we have tumor board meetings or we have 21 departmental meeting where we talk about these issues.</p> <p>22 And when I had all these cases, I had sent them 23 out for peer review and they -- before I diagnosed them.</p> <p>24 Q. Are all the peers that review them at -- other 25 pathologists at Martin Luther King Hospital?</p> <p style="text-align: right;">34</p>	<p>1 MS. ERSOFF: Objection, leading.</p> <p>2 THE WITNESS: I looked at the cases and I got my 3 expert to look at the cases and for -- we agreed that I 4 had -- they were rough cases that I had peer reviewed 5 and that we presented that to the medical board.</p> <p>6 BY MR. PARRISH:</p> <p>7 Q. And what ensued? You said you had your expert. 8 What do you mean your expert?</p> <p>9 A. I hired an expert to review my cases to tell 10 me, did I make a mistake or did I it correctly to send 11 these out to what -- is there any recommendation you 12 would have done? And so he agreed and we sent those 13 forward to the medical board.</p> <p>14 Q. Who was your expert?</p> <p>15 A. I can't remember his name. That's a few years 16 back.</p> <p>17 Q. So, then, you did that, submitted that to the 18 California Board of Medical Review and what happened 19 then?</p> <p>20 A. We had a hearing here in Los Angeles for four 21 days and at that time Martin Luther King Hospital was 22 being scrutinized for -- they were being called horrible 23 names, most of those --</p> <p>24 Q. By whom?</p> <p>25 A. By the Los Angeles Times and by various</p> <p style="text-align: right;">36</p>
<p>1 A. Well, Some of them were at Martin Luther King 2 and some of them -- well, the chairman had looked at 3 them at Martin Luther King.</p> <p>4 Q. Chairman of pathology?</p> <p>5 A. Chairman of pathology. And then I sent them 6 out to various other laboratories because I wanted 7 verification because they were rough tumors and you 8 always send them out when things like that occur. And 9 they came back agreeing with us. We had documentation 10 of that.</p> <p>11 Q. Does all of this occur before the diagnosis 12 gets out of the pathology department, essentially?</p> <p>13 A. You hope so, yes.</p> <p>14 MS. ERSOFF: Objection, leading.</p> <p>15 THE WITNESS: Yes.</p> <p>16 BY MR. PARRISH:</p> <p>17 Q. So there were six specific cases that the 18 accusation were made?</p> <p>19 MS. ERSOFF: Objection, leading.</p> <p>20 BY MR. PARRISH:</p> <p>21 Q. Isn't that what you said?</p> <p>22 A. Yes.</p> <p>23 Q. So what happened? You said you challenged, by 24 that I mean -- I mean, you mean what when you say 25 challenged?</p> <p style="text-align: right;">35</p>	<p>1 patients. And Los Angeles Times was doing an expose of 2 the individuals there and there were a number of 3 patients who had died and so they were scrutinizing 4 those patients and those doctors. And so L.A. Times 5 came to -- the day before my hearing, L.A. Times put a 6 large expose in the paper at the L.A. Times about me and 7 that I was doing all these horrible things.</p> <p>8 And so then the medical boards had the hearing 9 and I think about a month later they decided that I 10 should be put on probation for five years and limited to 11 if I was going to read anatomic slides, I had to have 12 them all peer reviewed, which I always do anyway. But 13 since that time I haven't had any -- I have not looked 14 at any slides.</p> <p>15 Q. Irrespective of or because of the California 16 Medical Board?</p> <p>17 A. No, irrespective. The reason I don't look at 18 slides is because I don't like it. I don't want to do 19 it anymore. I find much more enjoyable work in looking 20 at mycology and fungus and mycotoxins.</p> <p>21 Q. You had already moved from California at the 22 time?</p> <p>23 MS. ERSOFF: Vague and ambiguous as to time.</p> <p>24 BY MR. PARRISH:</p> <p>25 Q. At the time when you learned of these</p> <p style="text-align: right;">37</p>

<p>1 complaints before the California Medical Board, had you 2 already moved from California? 3 MS. ERSOFF: Asked and answered, leading. 4 THE WITNESS: I learned about them in 2004, the 5 hearing was in 2005 -- the hearing was in 2004, I 6 learned about these in 2003. 7 I learned about them in 2004, the hearing was, 8 I think, in 2005 and then the probationary period 9 started in 2007. I think those are the right times. 10 BY MR. PARRISH: 11 Q. And what effect did the decision of the 12 California Medical Board have in any other 13 jurisdictions? 14 A. Nothing. I was Nevada board certified -- 15 Nevada licensed. And I had anticipated that my attorney 16 would notify because he said he would, there is a 17 requirement that within 30 days after any decision, you 18 need to notify all of your respective licensure 19 authorities. And so because I was in Texas, I notified 20 Texas myself. And he told me he would notify Nevada, 21 which he didn't do I found out on the 31st day, so I 22 notified immediately myself. 23 And they, then, gave me a letter of reprimand 24 because I hadn't notified them within the 30 or whatever 25 period of time it was, maybe it was 60. And I stayed</p> <p style="text-align: right;">38</p>	<p>1 we set up Q.A. programs in the Navy, quality assurance 2 program and we set up quality assurance programs at King 3 to do that exact same thing, so it was not a -- not a 4 big burden. 5 BY MR. PARRISH: 6 Q. Was there a lawsuit that came out of your 7 tenure at Martin Luther King Hospital? 8 A. Yes. The lady that we did a uterus -- we said 9 there was a sarcomatus uterus, and when we got the 10 uterus, we looked at it, we couldn't find any tumor. 11 And ultimately in review, we found out that -- and that 12 we, that is me and the other pathologist -- found out 13 there was a mix up in the histology lab. 14 That's the laboratory that takes the tissue 15 when we cut the tissue from the tumor and when we get 16 that, we put that in little plastic cassettes, we 17 re-hydrate it, we put it in wax. There was a problem 18 with two of the cassettes where they got mixed up with a 19 tumor -- a brain tumor. And when we saw them, we 20 noticed that. We -- I specifically told the OB/GYN 21 surgeon, do not take this uterus out until we have a 22 clearance from Impath, which is a lab here in 23 Los Angeles, that this is truly a tumor that we see. 24 We sent that to Impath. Impath came back and 25 said this is a sarcomatus lesion, please review where it</p> <p style="text-align: right;">40</p>
<p>1 Nevada certified -- or Nevada licensed for a long time, 2 but I don't go back to Nevada except to see my friends 3 in Las Vegas and Ely, so I dropped that license. 4 But in Texas, I -- I'm allowed to do what I do 5 in RealTime Lab and that's oversee the clinical 6 laboratory, do clinical pathology which is what I want 7 to do, and -- 8 Q. Have there ever been any restrictions on your 9 practice of pathology in Texas? 10 A. They restrict the same thing as they do in 11 California, and that is, if I read slides, I have to 12 have them reviewed by somebody else before I make a 13 diagnosis, which I don't do anyway, so -- 14 Q. And before the requirement of the California 15 board -- medical review board, you always had them peer 16 reviewed anyway? 17 A. That's correct. 18 MS. ERSOFF: Objection, leading. 19 BY MR. PARRISH: 20 Q. And so was that requirement by the California 21 Medical Board superfluous requirement as far as you were 22 concerned? 23 MS. ERSOFF: Objection, leading. 24 THE WITNESS: I stated that, too. I said I 25 appreciate their concern and I would do that anyway. So</p> <p style="text-align: right;">39</p>	<p>1 comes from. This is very rare. I called the surgeon, 2 he saws we just took the uterus out. 3 So when we couldn't find it, I self reported 4 all this stuff the pathology department did, that the 5 surgery department did and that -- on my initial 6 diagnosis and I went to risk management and told them of 7 this. And they said this won't be an issue. Well, it 8 was an issue, because immediately that's -- when I left, 9 that's what the -- was reported to the medical board on 10 that specific case. 11 And then they decided to look at other cases 12 that even the pathologist had reviewed and they saw that 13 they had agreed with me. Well, the woman sued the L.A. 14 County and cited me the surgeon, the OB/GYN, the 15 pathology -- a hundred John Does and I was executed from 16 the case because they found that it wasn't me, that it 17 was a problem in histology. 18 And I got that documentation of being -- I 19 don't know what you call it -- it's not you're given 20 because it was all of our issue, but it was a -- I was 21 not cited in the legal case anymore. 22 When I submitted that to the medical board and 23 said I want you to know that this case was not an issue 24 with me, they said the decision's been made, we're not 25 reserving.</p> <p style="text-align: right;">41</p>

<p>1 So it is what it is. I dealt with it for years 2 and I learned a lot about quality assurance and making 3 sure that if you say a diagnosis and you have other 4 people back you up, you might want to have other people 5 back you up, too.</p> <p>6 I don't know how much that's going to help, but 7 it was a painful experience but it's one that I've 8 hopefully helped in looking at the patients that we look 9 at now because we are much more thorough -- well, I 10 don't know about much more thorough, we were thorough 11 before and I was thorough, but I'm even more tuned into 12 quality assurance than I've ever been.</p> <p>13 MS. ERSOFF: Move to strike as nonresponsive. 14 BY MR. PARRISH:</p> <p>15 Q. As far as the acquisitions made against you 16 while you were at Martin Luther King Hospital, as far as 17 your own mental attitude, did you consider those to be 18 false charges?</p> <p>19 MS. ERSOFF: Objection, leading, lacks foundation. 20 THE WITNESS: I believe that in medicine as well as 21 anything, if there's an issue with -- that you have with 22 individuals other M.D.'s, et cetera, you should approach 23 them and talk with them first, not go behind their back 24 like it was with me. And not to go to the newspapers 25 and talk about this. You should approach the individual</p> <p style="text-align: right;">42</p>	<p>1 A. I do the same things that I did when I was at 2 Martin Luther King; however, with that being said, I am 3 much more reticent -- not reticent -- cognizant of the 4 issues involved in quality assurance and quality 5 control.</p> <p>6 I -- if -- I surround myself with people I 7 sincerely and utterly and are excellent people, and if 8 they if they tell me that there's something good in the 9 quality assurance and quality control, I believe it, but 10 I still have to see it.</p> <p>11 I review every quality control piece of paper 12 that goes through that laboratory. I sit on every 13 quality assurance meeting and I discuss every quality 14 issue with any physician that calls me. And that's 15 something that I did not do all the time in the Navy. I 16 trusted people to do that. I didn't do that at King 17 Hospital, and I was head of quality assurance at King 18 Hospital.</p> <p>19 Q. You mentioned -- let me go back. 20 You used the word histology more than once. 21 Define what you mean when you say histology.</p> <p>22 A. It's the study of tissue. When you take a 23 piece of tissue and you look at it under a microscope, 24 it's the stains, you stain it and then you look at 25 whether or not it -- does it have a certain mosaic</p> <p style="text-align: right;">44</p>
<p>1 and see, is there some problem they're having or what is 2 the issue and -- but that wasn't conducted.</p> <p>3 And quality assurance in Martin Luther King, as 4 well as in any hospital, and in that's Navy, there are 5 set standards that you go by. You approach the 6 individual and you talk with them about it. So yes, I 7 believe that this was not dealt with fairly. Now, 8 whether or not those were issues that were problems, 9 yes, they were problems for the patients. Whether they 10 were all my fault, I don't think so, but they adhered to 11 me.</p> <p>12 MS. ERSOFF: Move to strike as nonresponsive. 13 BY MR. PARRISH:</p> <p>14 Q. All of that experience that you've had there, 15 that has carried over to your psyche even today, does it 16 not, as far as how you approach medicine, you guard 17 against even being falsely accused?</p> <p>18 MS. ERSOFF: Objection, leading. 19 THE WITNESS: Will you ask that in a different 20 format so I can answer that correctly? 21 BY MR. PARRISH:</p> <p>22 Q. What precautions do you take in your practice 23 of pathology now that you may not have been cognizant to 24 take if you had not had the experience at Martin Luther 25 King?</p> <p style="text-align: right;">43</p>	<p>1 structure? Does it have a certain structure that looks 2 like lung? We learn what lung looks like, we learn what 3 kidney looks like, et cetera.</p> <p>4 And if that is disrupted, then the histology is 5 disrupted. Histology is the study of normal tissue.</p> <p>6 Q. You mentioned articles in the Los Angeles 7 Times. Do you have a copy of that article?</p> <p>8 A. I do. I brought that with me because over the 9 years you have a tendency of forgetting everything that 10 you want to say. So when the article came out, I took 11 every sentence that the L.A. Times stated and I rebutted 12 it in bold and I give that to people in depositions, 13 family members who are saying what the heck happened? 14 And I give them that.</p> <p>15 And so I brought that and I would like to have 16 that given to you and to opposing counsel.</p> <p>17 MR. PARRISH: That was not in my stack of documents. 18 MS. ERSOFF: No. 19 THE WITNESS: It's approximately 12, maybe 13 pages 20 of rebuttal. 21 MS. ERSOFF: Counsel, can you identify which article 22 this is? 23 MR. PARRISH: Okay. We'll do that. 24 MR. PARRISH: I need -- that's your only copy; 25 right?</p> <p style="text-align: right;">45</p>

1 THE WITNESS: That's the only copy I brought.
2 MS. ERSOFF: Can we go off the record and I'll make
3 a copy?
4 THE WITNESS: Please. So I can go to the --
5 MR. PARRISH: Yes.
6 THE VIDEOGRAPHER: Off the record. The time is
7 10:37.
8 (A recess was taken from
9 10:37 a.m. to 10:43 a.m.)
10 THE VIDEOGRAPHER: We're back on the record. The
11 time is 10:43.
12 BY MR. PARRISH:
13 **Q. Dr. Hooper, do you have a copy of what you**
14 **wrote that you described before we took the break about**
15 **the L.A. Times article?**
16 A. I do.
17 **Q. And what is the date the article appeared, do**
18 **you have that, and what page? Give me a description of**
19 **the article.**
20 A. Well, it was part three of five of a series
21 that was written on the week of December 5, 2004.
22 **Q. Who was the author or the reporter, do you**
23 **know?**
24 A. Charles Ornstein, O-R-N-S-T-E-I-N.
25 **Q. Did he actually interview you?**

46

1 A. No.
2 **Q. So that's obviously not a newspaper article**
3 **that you have in front of you. What is that and how was**
4 **it created?**
5 A. This was --
6 MS. ERSOFF: Calls for speculation, lacks
7 foundation.
8 THE WITNESS: Would you like to reask?
9 BY MR. PARRISH:
10 **Q. No. You can answer.**
11 A. What I have in front of me is a -- the web
12 presentation of the third of five parts that involved
13 me.
14 **Q. So does that mean the web, for those from**
15 **Tennessee, what is it you mean by that?**
16 A. Yes. The Internet production of the
17 Los Angeles Times article written on December 7 appeared
18 in the Los Angeles Times web and I printed that out and
19 then I took each sentence and bolded my -- well, my
20 discrepancies with those sentences.
21 **Q. So there's bold type and there's regular type?**
22 A. That's correct.
23 **Q. And the bold type is authored by whom?**
24 A. By me.
25 **Q. And the regular type non-bolded type, shall we**

47

1 **say, is the work of whom?**
2 A. The L.A. Times article.
3 MR. PARRISH: And I'll ask that that be made
4 Exhibit 1 to your testimony.
5 (Deposition Exhibit Number 1 was marked for
6 identification, a copy is attached hereto.)
7 BY MR. PARRISH:
8 **Q. I'm not going to ask you to go through that.**
9 A. Thank you.
10 **Q. But you have read that and rechecked that many**
11 **times since then; is that correct?**
12 A. That is correct.
13 **Q. Have you ever made any amendment to it or made**
14 **any changes to it since it was originally done?**
15 A. No.
16 **Q. And it was originally done when?**
17 A. When the article came out, about a week later.
18 It was done on December 25th or 26th, 2004. Christmas
19 day.
20 **Q. Now, let's go back into sequence in RealTime**
21 **Labs.**
22 **Is this an entity?**
23 A. Yes, it is.
24 **Q. Did it start out as an entity?**
25 A. Yes, it did.

48

1 **Q. And what kind of entity is it?**
2 A. Today it's a Texas incorporated Inc. When it
3 first started it was an L.L.C., a limited liability
4 corporation of Texas.
5 **Q. And who were the owners when it started?**
6 A. There's only been one owner of RealTime Labs,
7 LLC and Inc., and that is the mother company,
8 Medical Service Consultation International, LLC.
9 **Q. And when was that founded?**
10 A. That was founded in 2003 in Texas.
11 **Q. Did that entity exist before RealTime Labs**
12 **existed?**
13 A. Yes, it did.
14 **Q. And who were the owners of that entity?**
15 A. The owners are Dr. Vincent Bolton and Modesto
16 Regina, R-E-G-I-N-A, and myself at that time. There's
17 one owner now added and that's Dr. Tim or Frederick
18 Guilford, G-U-I-L-F-O-R-D.
19 **Q. Why was that company started, the one that**
20 **predated RealTime?**
21 A. When we first started, we were approached by a
22 laboratory in Dallas named Environmental Health Center
23 Dallas who had a C.L.I.A. certificate. A C.L.I.A.
24 certificate is a Clinical Laboratory Improvement
25 Amendment of 1988 certificate. And that's what is the

49

1 regulatory overseer of laboratories.
 2 **Q. Is that an agency of some government?**
 3 A. It's the agency of the H.H. -- H.S.C., Health
 4 Services -- whatever "C" stands for.
 5 **Q. United States government?**
 6 A. It is. and it's a Medicare -- it's an arm of
 7 Medicare and it's -- the assignment has been to each
 8 state to oversee the laboratories under the C.L.I.A.
 9 name. And so each state has their own C.L.I.A.
 10 investigators and C.L.I.A. regulators who, then, grant
 11 laboratories the right to do the work that we ask to do.
 12 **Q. Now, you were contacted by the C.L.I.A.**
 13 **laboratory in Dallas?**
 14 A. Right. Dr. William Rea was the medical --
 15 well, was the owner of that laboratory and he was very
 16 interested in mycotoxins and my work that I was doing
 17 and research that I was doing. He said, would you like
 18 to bring it in and see if you can validate it in our
 19 laboratory? And I was very appreciative of that.
 20 We did it -- it took us about seven months to
 21 validate it.
 22 **Q. Okay. Bring it into where and what?**
 23 A. We brought the idea into Environmental Health
 24 Center Dallas C.L.I.A. laboratory where we wrote --
 25 **Q. At that point was it anything more than an**

50

1 **idea?**
 2 A. No.
 3 **Q. And you're using the plural pronoun, who do you**
 4 **mean?**
 5 A. Medical Service Consultation International.
 6 **Q. Did that company have any employees at that**
 7 **time?**
 8 A. No. We all were partners that were working on
 9 this validation, writing the validation proposal and
 10 then approaching Environmental Health Center to do the
 11 validation in their lab.
 12 **Q. Would you explain the "it," what was the idea**
 13 **that you took into the C.L.I.A. laboratory.**
 14 A. The proposal that we wrote in the validation
 15 states, can mycotoxins be found in patients who exhibit
 16 symptoms and have been exposed to mold in their
 17 environment?
 18 **Q. Before that time had there been any protocol or**
 19 **any methodology for discovering the answer to that**
 20 **question of which you were aware of?**
 21 A. Not that I was aware.
 22 **Q. So this was -- was this your idea?**
 23 A. Yes, I take credit for it.
 24 **Q. When you took it into the laboratory, the**
 25 **C.L.I.A. laboratory in Dallas, what did you do with this**

51

1 **idea? You said you took seven months. What did you do**
 2 **in that seven months?**
 3 A. Well, as of federal requirement, you must write
 4 a validation plan before you even start doing the work.
 5 The validation plan includes what is your question?
 6 What's the hypothesis? The hypothesis was we can find
 7 mycotoxins in patients. The null hypothesis or it
 8 doesn't work is we can't find mycotoxins in patients.
 9 So we had two hypotheses. We had to prove one
 10 or the other. And then we collected -- I personally
 11 went to patients for about a month and a half -- or
 12 individuals who -- the questionnaire was, do you have a
 13 history of ever in your -- in your knowledge, do you
 14 have a history of exposure to molds in your environment?
 15 If the answer was no, do you have symptoms of asthma,
 16 sinusitis, et cetera? Those criteria that C.D.C. had.
 17 **Q. C.D.C.?**
 18 A. C.D.C, Center for Disease Control on their web
 19 on their web site, it said --
 20 **Q. Is that a federal agency?**
 21 A. It's a federal agency in Atlanta, Georgia.
 22 And they had a list of criteria that at that
 23 time in 2004, was the criteria that C.D.C. used to say
 24 that patients had been exposed to molds causing asthma,
 25 et cetera, and sinus problems. And those are the

52

1 questions I asked. Do you have these symptoms? Yes or
 2 no. If no, have you ever been exposed, to your
 3 knowledge, to any molds in your house due to water
 4 damage or any kind -- and much to my surprise, there was
 5 many that had not.
 6 **Q. Had not what?**
 7 A. Had not been exposed, had no symptoms. I'm one
 8 of them, but I didn't test myself in that study.
 9 **Q. Was your question restricted one way or the**
 10 **other as to outdoor mold or indoor mold?**
 11 A. Environmental molds in the house.
 12 **Q. In the house?**
 13 A. In your environment.
 14 **Q. As a -- what does the word ubiquitous mean?**
 15 A. Everywhere.
 16 **Q. And does that word have any application to**
 17 **mold?**
 18 A. Molds are --
 19 MS. ERSOFF: Vague and ambiguous.
 20 THE WITNESS: Molds are ubiquitous. They're
 21 everywhere and they're outside, they're inside. If we,
 22 as individuals and patients, are exposed to huge numbers
 23 on these, and we have a predilection for a sensitivity
 24 to those antigens or to those surrounding structures in
 25 the spores, we can develop a sensitivity to them where

53

<p>1 we can sneeze, we can cough, we can produce mucous and 2 that's an allergy response.</p> <p>3 It we as individuals take in toxins and the 4 toxins are the poisons that are produced by these 5 organisms, then these toxins have end organ areas that 6 they can go to. When they go there, they can create 7 problems for the patients, be it cognitive problems, 8 they can have skin rashes, they can have urinary 9 problems, they can have peripheral nerve damage, they 10 can have lung damage.</p> <p>11 So all those issues are concern if you have 12 a -- not only a ubiquitous or a concentration of 13 organisms that are the same as inside and outside, but 14 if you are a patient who are prone to be effected by 15 those in some way, you've either genetically been 16 predisposed or these toxins have caused you to become 17 predisposed.</p> <p>18 Q. Well, a literal answer to your question on the 19 questionnaire, have you ever, to your knowledge, been 20 exposed to mold, there is nobody in the United States 21 that's ever -- could answer that no?</p> <p>22 MS. ERSOFF: Objection, leading, relevance, calls 23 for speculation, lacks foundation.</p> <p>24 THE WITNESS: Would you ask that question in a 25 better way, then?</p> <p style="text-align: right;">54</p>	<p>1 A. They have not been exposed to mold to their 2 knowledge and they don't have symptoms. Would you 3 please pee in the bottle for me?</p> <p>4 Q. By that, you mean give me a specimen of urine?</p> <p>5 A. Yes.</p> <p>6 MS. ERSOFF: Leading.</p> <p>7 THE WITNESS: Yes, that's what it means. But 8 oftentimes you have to say it very colloquially.</p> <p>9 BY MR. PARRISH:</p> <p>10 Q. All right. And so you would collect from the 11 people urine specimens?</p> <p>12 A. Correct.</p> <p>13 Q. Why urine as opposed to some other specimen?</p> <p>14 A. Dr. Wray gave us blood, urine, and I was able 15 to get tissue from patients who had aspergillus 16 infections when they had cancer. And we were able to 17 find them in the tissue. We were able to find them in 18 the urine. We were never able to find them in the 19 blood.</p> <p>20 Q. And "them" being what?</p> <p>21 A. The toxins. The mycotoxins.</p> <p>22 Q. Okay. So this research goes on for seven 23 months and the same thing over and over, what you just 24 said?</p> <p>25 A. That's correct. We did 54 patients which was</p> <p style="text-align: right;">56</p>
<p>1 BY MR. PARRISH:</p> <p>2 Q. Okay. In your experience, do you know of any 3 human persons who have not been exposed to mold?</p> <p>4 MS. ERSOFF: Calls for speculation, lacks 5 foundation.</p> <p>6 THE WITNESS: I don't think it calls for speculation 7 in that I know of one and he was on Seinfeld and he was 8 called bubble boy. He was protected so much from all 9 the environment, that nothing could hurt him unless you 10 punctured the bubble. That's the only person I've ever 11 heard of that maybe doesn't -- has not been exposed to 12 mold.</p> <p>13 The issue is, have you been exposed in your 14 environment? Do you know that you -- the question was, 15 do you know if you had a mold exposure in your 16 environment and were you exposed to that?</p> <p>17 MS. ERSOFF: Move to strike as nonresponsive.</p> <p>18 BY MR. PARRISH:</p> <p>19 Q. And you took the answers that they gave?</p> <p>20 A. I did.</p> <p>21 Q. And you didn't coach the answers, you didn't 22 advise them, whatever answer they gave, you took?</p> <p>23 A. That is correct.</p> <p>24 Q. And if they said no, you interpreted or 25 interpolated that to mean what, just to that question?</p> <p style="text-align: right;">55</p>	<p>1 about 20 patients over what is required for a 2 validation, but we wanted to make sure. We did 3 54 patients, every one of them had no mycotoxins in 4 their urine. Then we also looked at tissue from autopsy 5 patients who are killed in car accidents or they were 6 killed -- they died of heart attacks with no history of 7 exposure to mold in their medical history. And then we 8 also looked at murders, some tissue from murders, and we 9 found no mycotoxins in those tissues.</p> <p>10 We looked at nasal washes and we found 11 mycotoxins in some. And we published all of that data 12 and we presented that for the validation to C.L.I.A.</p> <p>13 Q. What was the significance of these findings?</p> <p>14 A. The end result of our validation hypothesis 15 was, yes, we can find mycotoxins in patients, period, 16 who have been exposed to molds in environmental 17 conditions.</p> <p>18 Q. I lost something. I didn't understand. I 19 thought you said you did not find mycotoxins except in 20 the nasal secretions. So why would that yield a 21 response?</p> <p>22 A. Because in our validations we also took 23 patients from Dr. Wray and other physicians who were 24 giving them to us in this research protocol and we said, 25 can we find them in those patients who have been</p> <p style="text-align: right;">57</p>

<p>1 exposed? So now we have the negative group that had no 2 history of exposure and we couldn't find them. Now we 3 have a patient group who had a history of exposure and 4 who were -- had sinusitis, had problems with cognitive 5 disorders, they had problems with lesions, et cetera, 6 and we found them in there.</p> <p>7 So, then, our end result of our validation was 8 they're not present in normal patients with no history 9 of exposure and they are present in patients who have 10 exposure.</p> <p>11 Q. Now, you said 54. Do the 54 include the 12 patients that you got from Dr. Wray or --</p> <p>13 A. No. The 54 were the negative patients. And 14 the -- then we did another 120 some that were from 15 Dr. Wray and his colleagues.</p> <p>16 Q. In the -- were they called the positive 17 patients or --</p> <p>18 A. They were called the disease state patients, 19 because we didn't know, are these patients really -- do 20 they have mycotoxins? We know they have a symptom, but 21 is the symptom due to the mycotoxins?</p> <p>22 Q. Did you find mycotoxins in all of those 120?</p> <p>23 A. No.</p> <p>24 Q. What was the ratio?</p> <p>25 A. We published that in our paper in 2009 on our</p> <p style="text-align: right;">58</p>	<p>1 the research that you were doing?</p> <p>2 A. Well, if we have a number of false positives, 3 the test is not significant.</p> <p>4 Q. And did you have -- did you have any false 5 positives in your test in the research that you did?</p> <p>6 A. I don't recall. We did have a couple, but not 7 significant statistically.</p> <p>8 Q. What makes it not significant statistically?</p> <p>9 A. The statisticians tell us that. I don't know.</p> <p>10 Q. Was the research you did in that seven-month 11 period of time something that would be called bench 12 research?</p> <p>13 A. Yes.</p> <p>14 Q. And what is bench research in comparison with 15 other kinds of research?</p> <p>16 A. I don't know of any difference. I think bench 17 research and research is the same. If you're going to 18 look at literature, that's literature research. That 19 you can that in the library. Bench research is stuff 20 you do right on the lab bench.</p> <p>21 Q. All right. So as to this study, the results 22 published in 2009, what did you do to determine whether 23 or not there was mycotoxins in the urine?</p> <p>24 A. Well, in the validations, we're required to say 25 we're going to take known amounts of mycotoxins and put</p> <p style="text-align: right;">60</p>
<p>1 validations and we have the ratios there and I can't 2 remember. We have a sensitivity and a specificity 3 rating. We're required to tell true prevalence and 4 sensitivity and specificity, and if there's false 5 positives or false negatives, and we publish that.</p> <p>6 Q. What's a false positive? What's a false 7 negative?</p> <p>8 A. A false positive, if a patient is not sick, 9 let's take example, Mr. Parrish, who does not have any 10 presumed illness due to molds or mycotoxins and he gives 11 us a urine specimen and it's positive. That -- then we 12 have to correlate that to his disease process. He 13 doesn't have a disease that we know of today, so -- but 14 we're saying he's positive. So that does not fit with 15 the true positive.</p> <p>16 If you had symptoms and you were positive, 17 you're a true positive when you're doing the lab tests 18 and statistical evaluation. If you are not symptom -- 19 you're symptom free and you have a positive test, that 20 is a false positive as we know it on the day that we do 21 those tests.</p> <p>22 Now, those change over time, depending on what 23 symptoms -- you may come up with various symptoms that 24 other patients have when we start correlating those.</p> <p>25 Q. What's the significance of a false positive in</p> <p style="text-align: right;">59</p>	<p>1 them in whatever matrix that we are going to test in. 2 Is it nasal mucous? Is it stool sample? Is it urine 3 sample? So we take the toxin, put it in the urine and 4 then we put it on our plates. These are E.L.I.S.A. 5 plates, capital letters E-L-I-S-A, stands for Enzyme 6 Linked Immunosorbent Assay. These are small wells that 7 have various amounts of antibody or antigen in these 8 wells and they're diluted out.</p> <p>9 And then we take our urine and we dilute out 10 that urine and we put our toxin in each one. So it's 11 called a blocked titration because there's 96 wells in 12 this tray. And we're doing a titration of the urine, 13 we're diluting it out and we're diluting out the toxins. 14 So if I take 100 parts per billion of a toxin and put it 15 in urine and then I do my test to see, am I going to be 16 able to detect 100 parts per billion?</p> <p>17 When we first started doing that, we found if 18 we put 100 parts per billion in undiluted urine, the 19 proteins in the urine caused a false positive test and 20 we were getting like a thousand parts per billion. So, 21 then, we were required, as you do in all urine tests in 22 laboratories, you dilute out the matrix effect. So you 23 take the urine and you dilute it out, dilute it out, 24 dilute it out, then add your 100 parts per billion to 25 each dilution. And when you find that you can -- if you</p> <p style="text-align: right;">61</p>

<p>1 add 100 parts per billion in this urine and you've 2 diluted it 1 to 10, now you find 100 parts per billion. 3 But if you did it 1 to 100, you can't find it, or 1 to 4 2, you can't -- it's higher. You've titrated it to that 5 point where you can tell how much dilution it is. 6 We did that with all our toxins that we do. 7 Aflatoxin and ochratoxin we do a 1 to 7 dilution to 8 dilute out the matrix effect so we don't get false 9 positives. And then we dilute out trichothecenes to 10 1 to 5. And that's called a block titration and we did 11 that in our validations and we presented that to 12 C.L.I.A. 13 Q. Do you still use that same methodology? 14 A. We still use that -- no. I have to backtrack. 15 When we first did our validations, we did not 16 have a good E.L.I.S.A. test for ochratoxin and 17 aflatoxin. In our first validations and in the 18 publication that we did, we used a small little immune 19 absorbent columns that the food industry was using to 20 find ochratoxin and aflatoxin, and we got very good 21 responses to that. 22 It's very time consuming, tech time, technician 23 time, my time, and it wasn't cost effective. And as we 24 started looking at E.L.I.S.A. tests and trying to find 25 antibodies that were good to detect these toxins, we</p> <p style="text-align: right;">62</p>	<p>1 speculation. 2 THE WITNESS: It would be -- she's correct. I would 3 be speculating because I'm sure it's around -- it's been 4 around for a long time. 5 BY MR. PARRISH: 6 Q. You did not invent the E.L.I.S.A. testing? 7 A. No. I wish I would have, but no. 8 Q. So the method that you use to do what you just 9 described had preceded your research? 10 A. Oh, definitely. 11 Q. At the completion of this -- were you working 12 during this time? Were you -- who -- were you getting 13 paid? Did you have a grant? Who caused this? 14 A. We had no funding. We used our I.R.A.'s, we 15 used our savings, we used our credit cards, everything 16 to fund our work. 17 Q. And, again, you're using the plural pronoun, 18 who are the people that -- 19 A. Dr. Bolton, Mo Regina and myself at that time. 20 Q. Were all of those people involved in the 21 research in the lab at the time? 22 A. Well, Dr. Bolton is an anesthesiologist, so he 23 can spell D.N.A. but he doesn't work on the lab bench. 24 But he's very good in the business side and in reviewing 25 literature and helping us write. And Mo Regina is an</p> <p style="text-align: right;">64</p>
<p>1 found the proper antibodies in aflatoxin and ochratoxin 2 and we developed that E.L.I.S.A. test for those toxins 3 and we validated those against the immunosorbent. So 4 now we use all E.L.I.S.A. tests in our lab. 5 Q. Is E.L.I.S.A. testing generally accepted in the 6 scientific community as a methodology to do what you do 7 with E.L.I.S.A. testing? 8 MS. ERSOFF: Vague and ambiguous, overbroad. 9 BY MR. PARRISH: 10 Q. You may answer. 11 A. E.L.I.S.A. testing -- to be very specific, 12 E.L.I.S.A. testing was -- made it's hay day, a debut in 13 the '80s when H.I.V. testing was an issue. And we were 14 looking for antibody for H.I.V. and we found that. We 15 also use it in Hepatitis A, B and C. We use it for 16 chlamydia testing. We use it for campylobacter that 17 causes problems in the gut. It's -- there's huge 18 amounts of E.L.I.S.A. testing and more and more 19 companies are going to E.L.I.S.A. testing even for food 20 allergies and for looking at gluten sensitivities. 21 MS. ERSOFF: Move to strike as nonresponsive. 22 BY MR. PARRISH: 23 Q. Do you know who invented E.L.I.S.A. testing or 24 was it invented? Where did it come from? 25 MS. ERSOFF: Lacks foundation, calls for</p> <p style="text-align: right;">63</p>	<p>1 M.B.A. who works on the business side. So it was me and 2 then we hired contractors to help us. 3 Q. What to you mean by "contractors"? 4 A. People who were lab techs or who knew how to 5 work in the lab that I could direct how to do this test. 6 Q. As far as in the lab for this seven months, 7 that was you and the techs? 8 A. That's right. And the tech. 9 MS. ERSOFF: Leading. 10 THE WITNESS: The technician. 11 BY MR. PARRISH: 12 Q. The technician? 13 A. (Witness nods head.) 14 Q. After the publication -- well, what was 15 RealTime Labs doing during this time, if anything? 16 A. During -- would you be more specific? During 17 what time? 18 Q. When you were -- the seven months in the 19 C.L.I.A. lab in Dallas, had RealTime Labs been created 20 at that point? 21 A. No. We were writing procedures for 22 Environmental Health Center because if we were going to 23 bring that in house to test, we had to present to 24 C.L.I.A. the standard operating procedures, the quality 25 assurance, quality control, proficiency testing.</p> <p style="text-align: right;">65</p>

<p>1 everything that's required to bring a test into the 2 laboratory. So not only were we doing the testing, but 3 we were writing procedures. 4 And then at the end of -- I think it was in 5 August of 2005 that we finished our validations. We 6 took the first patients in September of 2005, and we 7 started getting specimens from around the country at 8 that time. People started hearing about us, mostly 9 through Dr. Wray, and we outgrew the one bench that we 10 had in Environmental Health Center, so that's when we 11 created RealTime Lab in 2005 and we brought the work out 12 of Environmental Health Center, had to revalidate 13 everything in RealTime Lab. 14 Q. You've used D.N.A. several times. Presuming we 15 all know what you're talking about, what do you mean 16 when you say D.N.A.? 17 MS. ERSOFF: Leading. 18 THE WITNESS: D.N.A. is the cellular ticker tape, so 19 to speak, in each of our cells and in fungus and 20 bacteria that dictate what proteins are going to be made 21 and how the cells lives or dies. And it can be single 22 stranded or it can be double stranded and can be wound 23 around each other. 24 And it's read and makes -- tells the cell to 25 make proteins, tells the cell what to do, where to exist</p> <p style="text-align: right;">66</p>	<p>1 and I can land there. And when I do -- my window opens 2 and I can jump out, the lights come on and I can be 3 detected. 4 And that's exactly what RealTime P.C.R. is. 5 P.C.R. is preliminary chain reaction detecting D.N.A., 6 or the death star. We use probes to detect those death 7 stars. And there's many different -- there's probes for 8 adenocarcinomas. There's probes for colon cancers. 9 There's probes for aspergillus fumigatus. There's a 10 probe for staph aureus, it's called M.R.S.A. and we use 11 that. 12 So these are common things that are used all 13 over. We're not unique at all. 14 Q. You've spoken of validations and are you using 15 that term in a particular way to mean something 16 different than just general validation? 17 A. Validations are a -- they're a painful word to 18 use as a pathologist and a laboratory because they 19 require so much time and money to make sure that you're 20 doing the right thing and it requires thinking ahead, 21 writing a validation plan. So if it doesn't work, your 22 plan has to be thrown out. So you cannot know what the 23 results are before you do the validations. 24 So you have to write the plan. You have to 25 have it verified by either your board of directors or</p> <p style="text-align: right;">68</p>
<p>1 and where not to exist. And there are ways you can 2 identify D.N.A. in tumors. You can identify D.N.A. in 3 fungi and in bacteria. 4 BY MR. PARRISH: 5 Q. You specifically said that mold has a D.N.A. 6 A. That is correct. 7 Q. And you said you can identify the mold -- the 8 D.N.A. in mold? 9 A. That's right, we can. And we created those 10 D.N.A. probes. They're called probes. And the way I 11 describe these is, so that everybody can understand it, 12 it's like the death star in a famous movie where a 13 certain group of people are driving their jets around to 14 try and land into the jet star -- or the death star. 15 The death star is a D.N.A. and there may be ten 16 different death stars in the galaxy. And me as 17 Dennis Walker get in one of my little jets and I'm 18 driving around, trying to land in the death star. I'm 19 driving a D.N.A. probe. When I come into -- and I'm an 20 aspergillus probe, an aspergillus fumigatus probe. 21 I come and try to land in a stachy botryous 22 death star and I can't do it because my tires, those 23 tires on the probe, they can't land because there's no 24 place to identify so it goes on to another death star. 25 And I find an aspergillus fumigatus death star</p> <p style="text-align: right;">67</p>	<p>1 even C.L.I.A., and then you can do the test. And you do 2 all these things to look at sensitivity, specificity. 3 Is this a good test? Is it not? Is it false positive? 4 False negative? Can it be reproduced? All of these 5 things. 6 And when you finally get the answer and you've 7 heard from your board that you've spent all your 8 retirement money and you've heard from your wife you 9 can't do anymore, and you go, uh, oh. You hope that you 10 have got it. 11 And we -- after seven months we finally came to 12 that realization that we do have a test that we can do 13 and we present that. 14 Q. To? 15 A. To -- well, C.L.I.A. comes in and can look at 16 it at any time unannounced, announced, and we can offer 17 that to them to come look at it, which we did do, and we 18 did have an unannounced visit from them on a complaint 19 that we were doing un-validated tests. And they 20 validated that we were right. We were doing them, and 21 we had validated them. 22 Q. Without validation, can you -- what are your 23 limitations without validation? 24 A. If you don't do validations and this test is 25 not F.D.A. approved, your laboratory can be shut down</p> <p style="text-align: right;">69</p>

<p>1 immediately and you cannot charge anymore to the 2 patients.</p> <p>3 Q. Is there anything that you have ever withheld 4 from C.L.I.A.?</p> <p>5 A. Never.</p> <p>6 Q. How often has C.L.I.A. inspected or 7 re-inspected or examined your validations?</p> <p>8 A. C.L.I.A. has inspected us three times and 9 College of American Pathology has inspected us once and 10 we are now in another review of our validations.</p> <p>11 Q. And in scientific research, what is the 12 significance of being validated as far as other 13 researchers are concerned?</p> <p>14 A. Well, as far as researchers and as far as 15 clinicians, if you don't have a test that can help the 16 patient and show that there is true positivity and true 17 negativity and sensitivity and specificity is good, why 18 do the test? Because the doc ain't going to send it to 19 you after a while, they're going to get upset because 20 they can't see any correlation with that test. F.D.A. 21 is going to be upset with you. C.L.I.A. is going to be 22 upset with you.</p> <p>23 So we pride ourselves in looking at those 24 validations. We on go validation all the time in our 25 laboratory. We're reviewing, reviewing, reviewing. We</p> <p style="text-align: right;">70</p>	<p>1 at a validation if he knew how. I mean, he just doesn't 2 understand validation.</p> <p>3 MS. ERSOFF: Move to strike as nonresponsive the 4 portion of his testimony starting with "we are 5 criticized" as nonresponsive.</p> <p>6 BY MR. PARRISH:</p> <p>7 Q. What is raw data?</p> <p>8 A. The data that is generated from the E.L.I.S.A. 9 readers or from our refrigerator temperatures or from 10 our machines showing what the spectrophotometer is 11 reading, et cetera.</p> <p>12 Q. And is that raw data revealed to C.L.I.A. to 13 C.A.P. on a nondisclosure basis to others who have a 14 right to use it?</p> <p>15 A. Yes, it is.</p> <p>16 Q. Is that raw data something can be released 17 since you're an in-house validated -- can it be released 18 without non-disclosures?</p> <p>19 MS. ERSOFF: Objection, leading.</p> <p>20 THE WITNESS: We would not release it under 21 nondisclosure because it's proprietary and it is 22 protected under what our attorneys believe and have 23 stated by other -- by citing other case law as 24 copyrighted and trade secret. 25 ///</p> <p style="text-align: right;">72</p>
<p>1 are now approaching F.D.A. for approval on our D.N.A. 2 probes and subsequently our mycotoxin testing.</p> <p>3 Q. What's the significance of F.D.A. approval?</p> <p>4 A. F.D.A. approval, the only people that can do 5 your test if you are not F.D.A. approved is yourself. 6 It's called a laboratory validated test, in-house 7 laboratory test. It used to be called some strange name 8 and they did away with that called home brew. Sounded 9 like we were making booze, but they've stopped that and 10 it's called an in-house validation test.</p> <p>11 And if we have in-house validations, we cannot 12 give our procedures out to anybody because of the 13 concern from F.D.A. and C.L.I.A. that these will be 14 duplicated and used somewhere else and they can come 15 back to that laboratory and hold them liable for 16 releasing that work because it's not validated anywhere 17 but in your laboratory.</p> <p>18 And so we adhere to those rules by only 19 releasing under nondisclosure to C.L.I.A. and College of 20 American Pathology, the actual procedures.</p> <p>21 Now, we are criticized -- and I'll say this -- 22 we're criticized by experts in opposing legal cases, 23 specifically Dr. Saxon who I think is in this case as 24 well, who says the validations -- our validations are 25 not correct who has never -- wouldn't know how to look</p> <p style="text-align: right;">71</p>	<p>1 BY MR. PARRISH:</p> <p>2 Q. Are there any patents involved in what you do?</p> <p>3 A. We file patents on everything we've done. And 4 we've filed --</p> <p>5 Q. "We" being?</p> <p>6 A. Medical Service Consultation International is 7 an owner of the patents. I was the inventor, I signed 8 rights to M.S.C.I. and they hold all the patents.</p> <p>9 Q. As the inventor, was it your responsibility -- 10 were the patents issued to you?</p> <p>11 A. No. They are issued to a medical -- they have 12 not been issued. They are pending.</p> <p>13 Q. Patent pending means what as far as practical 14 effect?</p> <p>15 A. That we can -- we use them. If anybody 16 violates our patents, we can't do anything until they're 17 issued. But the minute they're issued, if they use our 18 procedures, we, then, will discuss that with them.</p> <p>19 Q. Patent pending is an official status, is it 20 not?</p> <p>21 A. Yes. It's a utility patent that is filed and 22 under review by the U.S. Patent office.</p> <p>23 Q. And that there is a patent pending is public 24 knowledge?</p> <p>25 A. It's been published, yes.</p> <p style="text-align: right;">73</p>

1 **Q. Did you withhold any information from the**
2 **patent office about what you invented?**
3 A. No.
4 **Q. Did that include your validations?**
5 A. Yes.
6 **Q. Are you an officer of RealTime Laboratories?**
7 A. I am the medical director. I'm not an officer.
8 **Q. As a medical director, what are your**
9 **responsibilities for RealTime Labs?**
10 A. To oversee quality assurance and quality
11 control. I do not hire, I do not fire. I report to the
12 board of directors for M.S.C. as to what the quality
13 assurance and quality control is of the board -- of the
14 laboratory.
15 **Q. You're not the chief executive officer?**
16 A. No.
17 **Q. Chief administrative officer?**
18 A. No. I don't want those jobs.
19 **Q. The chief financial officer?**
20 A. No.
21 **Q. In the hierarchy of RealTime Labs, as the**
22 **medical director, what authority do you have at**
23 **RealTime Labs?**
24 A. I like to think I have some, but as pointed out
25 by the C.E.O., I really have very little on the legal

74

1 side of running this other than I'm an owner of
2 M.S.C.I., but I have responsibilities to show that I
3 need to make sure the quality assurance and quality
4 control of the laboratory are ongoing every minute of
5 the day.
6 **Q. Are you here today as a witness -- as a**
7 **representative of RealTime Labs in any way?**
8 A. No.
9 **Q. Are you here today as a representative of**
10 **M.S.C.I.?**
11 A. No.
12 **Q. Who are you here as a representative of, if**
13 **anybody?**
14 A. Well, I'm representing myself and my company
15 which is very confusing here. Medical Service
16 Consultation P.A. which is a professional association in
17 Texas because I'm the sole owner, I'm the M.D., and all
18 my business on the medical/legal side when I do
19 consulting or when I do expert witness comes through
20 M.S.C.P.A. So I represent myself as the expert witness
21 to interpret data coming out of RealTime Lab or any
22 other place that the counsels give me in their medical
23 records to review.
24 **Q. Are you the only employee of -- I'll just call**
25 **it the P.A.?**

75

1 A. Yes. That's by law in Texas I can only be.
2 I'd have to be the president, the vice president, the
3 secretary, the treasurer.
4 **Q. And does the P.A. have a separate bank account**
5 **from you personally?**
6 A. Yes.
7 **Q. Does the P.A. file tax reporting?**
8 A. Because it's an entity with me, it goes on my
9 Schedule C and it's rolled up into my own personal
10 income.
11 **Q. Do you share that income with anyone other than**
12 **your wife?**
13 A. Well, I contract to certain people to help me
14 through M.S.C.P.A. so I share that. I pay them.
15 **Q. But that's just a vendor, that's an expense of**
16 **the P.A.?**
17 A. That's correct.
18 **Q. As far as the net income of the P.A., that's**
19 **you personally and only?**
20 A. That's true.
21 **Q. And is there a contract for the services that**
22 **you have rendered in this case?**
23 A. Yes.
24 **Q. Do you have a copy of that contract?**
25 A. Yes. I brought that with me. It's an

76

1 agreement as expert witness or Medical Service
2 Consultation P.A. for Robert Morse and it's signed and
3 then I have my legal fee schedule attached.
4 **Q. All right.**
5 A. And then --
6 **Q. You were asked to bring this, were you not, by**
7 **the notice that you were given by Ms. Ersoff?**
8 A. I was, yes.
9 MR. PARRISH: All right. And I'll ask that this be
10 made Exhibit 2 to your testimony.
11 (Deposition Exhibit Number 2 was marked for
12 identification, a copy is attached hereto.)
13 BY MR. PARRISH:
14 **Q. Are you a custodian of records for**
15 **RealTime Laboratories?**
16 A. No.
17 **Q. Are you a custodian of records for M.S.C.I.?**
18 A. No.
19 **Q. Did you use any of the work product of**
20 **RealTime Laboratories to do the work that you've done to**
21 **appear as a witness here?**
22 A. Yes.
23 **Q. And how did you get that work product?**
24 A. I requested it from RealTime Lab to get the
25 results from Casey Morse.

77

1 **Q. And what did you have to do, if anything, with**
2 **the test that was done by RealTime Labs?**
3 A. As the medical director, either myself or my
4 designee can review the Q.A. and sign it out. That
5 means there's a director's signature at the bottom and
6 either myself or my designee can sign those.
7 **Q. Was an E.L.I.S.A. test done on a urine specimen**
8 **from Casey Morse?**
9 A. Yes.
10 **Q. And was it what you just described as to the**
11 **procedure and the protocol?**
12 A. Yes.
13 **Q. Did you personally administer that E.L.I.S.A.**
14 **test?**
15 A. Fortunately no, because I don't want to do
16 those tests. They're long and so my techs do them now.
17 I did them years ago.
18 **Q. Do you oversee them?**
19 A. I assure -- I'm assured through Q.A. And I
20 review the Q.A. and the Q.C. before they're signed out
21 and released to the docs. So by overseeing, that's what
22 I do.
23 **Q. In a sense in this case as the medical director**
24 **for RealTime Labs, did you check the quality assurance**
25 **before it was released to you to give your expert**

78

1 **testimony?**
2 A. Either I did or my vice president of laboratory
3 operations did. And then he gives those to me to review
4 as well. So yes, it's checked and double checked. And
5 I brought the results. I did sign Casey Morse's
6 results.
7 **Q. As far as the testing that was done on**
8 **Casey Morse's urine specimen, are you confident that the**
9 **test was accurately done and the results are accurately**
10 **shown?**
11 MS. ERSOFF: Lacks foundation, calls for
12 speculation.
13 BY MR. PARRISH:
14 **Q. You may answer.**
15 A. Yes. And it's not speculation because I know I
16 reviewed the Q.A., the Q.C. proficiency testing and I am
17 positive that these are good results.
18 **Q. As far as your personal interaction with**
19 **Casey Morse, other than this morning where you met her**
20 **in this room, have you ever personally seen her?**
21 A. No.
22 **Q. Based on the RealTime Laboratories testing, are**
23 **you able to make a diagnosis with respect to**
24 **Casey Morse's health as it's revealed by the testing at**
25 **RealTime Laboratories?**

79

1 A. No.
2 **Q. What are you able to determine about**
3 **Casey Morse personally based on the testing that was**
4 **done at RealTime Laboratories?**
5 A. As the medical director, I can assure the
6 clinician who ordered the test, that the test was done
7 under proper conditions, under proper quality assurance
8 and quality control and that what we found we feel
9 assured is positive as a mycotoxin.
10 **Q. And you have the RealTime Labs report?**
11 A. I do.
12 MR. PARRISH: Let's make that Exhibit 3 to your
13 testimony and then I'll hand it back to you.
14 THE WITNESS: This is -- I guess I'll put all of
15 these together. That's just a fax cover sheet.
16 MR. PARRISH: You'll hand that back to him, please.
17 (Deposition Exhibit Number 3 was marked for
18 identification, a copy is attached hereto.)
19 BY MR. PARRISH:
20 **Q. You're looking at Exhibit 3 to your testimony,**
21 **are you not?**
22 A. I am.
23 **Q. Are you familiar with that form and what it**
24 **reports?**
25 A. Yes.

80

1 **Q. And what was determined by the**
2 **RealTime Laboratories testing of the urine specimen in**
3 **Casey Morse?**
4 A. The RealTime Lab results show that aflatoxin is
5 not present, ochratoxin is present at a value of 10.5
6 parts per billion and trichothecenes are not present.
7 **Q. There are actually -- there's one report and an**
8 **amendment to the report; is that correct?**
9 A. That is correct.
10 **Q. And what's the amendment part? How did it get**
11 **to be amended?**
12 A. The original report we signed out as
13 qualitative. In other words, C.L.I.A. in Texas had told
14 us we need to only say present or not present, which is
15 a qualitative report. It's there, it isn't. When we
16 got College of American Pathology certified, we were
17 able to -- we petitioned C.A.P. to do a semi
18 quantitative result which allows us to not only put
19 present, not present, not present, whatever, but we also
20 can put the patient results that are interpreted out of
21 our machines.
22 **Q. So you've always had that information as far as**
23 **the patient results, but prior to your C.A.P.**
24 **certification, you were not able to report that?**
25 A. That is correct.

81

<p>1 Q. So in this process, the first time you did the 2 report -- which was what date? What was the date of the 3 laboratory report of RealTime? 4 A. Date of service was 1/10/2011 and we signed it 5 out on 1/13/2011. 6 Q. Now, at that time did you have the C.A.P. 7 release to report? 8 A. No. But then we were able to do it in 9 February, released the semi-quantitative results. 10 Q. So you reissued the same report? 11 A. Right. We issued the same report, but we now 12 call it amended report and we put at the bottom, amended 13 to add quantitative results. 14 Q. What's the significance of having quantitative 15 results? 16 A. Physicians use those to follow how much toxin 17 is in the urine of the patients. And what we have 18 followed over the years is to see that as the patient 19 improves, the toxin levels go up in the urine because 20 they're leaving the body and then they go down to zero. 21 So it's a bell-shaped curve. They're detected at one 22 level, then they are treated and the urine -- they lose 23 them in the urine, so they go up in our test. And then 24 as they are treated more, they go down to zero. 25 And there's been many patients throughout the</p> <p style="text-align: right;">82</p>	<p>1 is an aflatoxin and what does it mean not to have 2 aflatoxins in the urine? 3 MS. ERSOFF: Compound. 4 BY MR. PARRISH: 5 Q. You may answer. 6 A. Alfatoxins are toxins produced by aspergillus 7 and/or penicillium species. There have been in the 8 literature certain species that have been identified as 9 making alfatoxins. However, there are many more that 10 are being investigated at present to see if they produce 11 these toxins. 12 They're multiple carbon structures that are 13 able to invade or go through the cell wall or the cell 14 membranes and actually attach to the D.N.A. of humans. 15 And when it attaches to the D.N.A. of humans, it causes 16 liver cancer. 17 Q. Let me ask you, though, as far as Casey Morse 18 is concerned. The RealTime Labs test indicated she had 19 none of those? 20 A. That's correct. 21 Q. Does that -- is that a positive assurance that 22 she does not have aflatoxins or could she have 23 aflatoxins and they not be detected in the urine? 24 A. That's speculative. I would -- I would like to 25 say yes, I would like to answer your question yes, but I</p> <p style="text-align: right;">84</p>
<p>1 country that have shown that bell-shaped curve. 2 MR. PARRISH: All right. We're about to the end of 3 the tape and I'm about to go into another area of 4 questioning, so if we can go off the record so you can 5 change your tape. 6 THE VIDEOGRAPHER: This is the end of disk Number 1. 7 The time is 11:36 and we are off the record. 8 (A recess was taken from 11:36 a.m. to 11:41 a.m.) 9 THE VIDEOGRAPHER: We are back on the record. This 10 is the beginning of disk Number 2. The time is 11:41. 11 BY MR. PARRISH: 12 Q. Dr. Hooper, referring to Exhibit 3 and the 13 findings from the testing by RealTime Laboratories, what 14 does it mean that there was -- the findings that were 15 made there, not present, present, and the amount? 16 A. It means that aflatoxin was not found and 17 ochratoxin was found at a certain value, and the 18 trichothecenes were not found. And we have limits of 19 detection that we determine through our validations, 20 anything over that limit of detection is considered 21 positive. 22 Q. All right. And anything under that is 23 considered what? 24 A. Not present. 25 Q. Now, when it says aflatoxins not present, what</p> <p style="text-align: right;">83</p>	<p>1 think it's too speculative for me to say. I have no 2 proof to say that alfatoxins are present in Casey Morse 3 and we just can't detect them. 4 Q. Let's go to generally speaking. Are all 5 alfatoxins every time in the human body able to be 6 detected by the assay testing? 7 MS. ERSOFF: Calls for speculation, lacks 8 foundation. 9 THE WITNESS: No. 10 BY MR. PARRISH: 11 Q. And what would cause it to be -- I'm using 12 aflatoxin. I assume this is true for ochratoxin and 13 trichothecenes; is that correct? 14 A. That is correct. 15 Q. So what would be the theme about the human body 16 that would allow those things to exist in the human body 17 and, yet, not be detectable in the urine? 18 A. The literature has pointed out since the '80s, 19 since 1980 and even before that, that these toxins 20 become intracellular, they become -- invade the cell 21 inside. So they can sit inside the cell. The only way 22 we can detect them at RealTime Lab is if they leave the 23 cell for whatever reason. The cell dies and they don't 24 reinvade other cells or their -- the other cells are 25 protecting themselves in that it's exuded in the urine</p> <p style="text-align: right;">85</p>

<p>1 or secreted in the urine, or the bile duct.</p> <p>2 Q. What do you mean others are protecting</p> <p>3 themselves?</p> <p>4 A. The cell -- there are papers that show that</p> <p>5 there are certain proteins in the body that act as</p> <p>6 bricks, so to speak, as I like to think about it, and</p> <p>7 they're called keep one and nerf one. Nerf one and keep</p> <p>8 two. Those proteins, they interlock like bricks so they</p> <p>9 protect the cell from not allowing mycotoxins to come in</p> <p>10 to the cell.</p> <p>11 As these proteins are coming together,</p> <p>12 sometimes -- and this is not me that's thought of this,</p> <p>13 this is in the literature -- that the mycotoxins come in</p> <p>14 and block these bricks from coming together. And when</p> <p>15 they stop the keep and the nerf proteins from coming</p> <p>16 together, they can invade cell. And they can sit in the</p> <p>17 cell and cause proteins changes and D.N.A. changes in</p> <p>18 the cell. Hence, they can become malignant. The cells</p> <p>19 can become malignant or they can change their structure</p> <p>20 in some way that the patient develops a reaction.</p> <p>21 Q. And how would any of those mycotoxins get into</p> <p>22 the urine to be detected by the RealTime Labs E.L.I.S.A.</p> <p>23 testing?</p> <p>24 A. There's a lot -- there's many literature peer</p> <p>25 reviewed papers that talk about how the mycotoxins are</p> <p style="text-align: right;">86</p>	<p>1 organisms that can produce ochratoxin A. Ochratoxin A</p> <p>2 is released, it can be absorbed through mucus membranes,</p> <p>3 go to the lung and taken up by the macrophages of the</p> <p>4 lung and be delivered to end organs.</p> <p>5 Famous and very strong literature in the Balkan</p> <p>6 nephropathies in the -- I don't remember what year --</p> <p>7 Balkan nephropathies were caused by ochratoxin A and</p> <p>8 they cause a lot of urinary problems. They have been</p> <p>9 isolated in renal cell carcinomas, kidney cancers, and</p> <p>10 they have been found in patients who have renal</p> <p>11 problems, not only cancers but kidney problems and</p> <p>12 they've been found in patients who have urinary tract</p> <p>13 infections.</p> <p>14 RealTime Lab -- in looking at the literature,</p> <p>15 RealTime Lab's individuals and their staff have found</p> <p>16 that we -- they can be isolated in brain tumors and in</p> <p>17 peripheral nerve tissue and we have found them in breast</p> <p>18 tissue in patients who have breast tumors. We have not</p> <p>19 published that yet so --</p> <p>20 Q. Is still in the process --</p> <p>21 A. It's hearsay so far.</p> <p>22 Q. Well, is that in the process of being published</p> <p>23 by you and others?</p> <p>24 A. Yes. We also found of significance -- and this</p> <p>25 is being prepared for publication in a reputable</p> <p style="text-align: right;">88</p>
<p>1 picked up by the macrophages which the garbage men of</p> <p>2 the bloodstream and they're taken to end organs and</p> <p>3 they're released through into the bile so they appear in</p> <p>4 the gut and the stools, or they can be picked up by the</p> <p>5 macrophages and delivered to end organs of the kidney</p> <p>6 and then released into the urine.</p> <p>7 Q. And if that is happening, then that can be</p> <p>8 detected by the E.L.I.S.A. testing at RealTime Labs?</p> <p>9 A. In the urine test.</p> <p>10 Q. If the macrophages are not picking them up and</p> <p>11 moving them into the kidney, they remain there and the</p> <p>12 RealTime Labs E.L.I.S.A. testing would not detect what</p> <p>13 is, in fact, there?</p> <p>14 A. That's speculation, but yes, we don't have</p> <p>15 proof of all that, what you just said.</p> <p>16 Q. But as far as the literature, is that what --</p> <p>17 A. The literature backs up what I said so far.</p> <p>18 Q. All right. Now, as to what was present in the</p> <p>19 urine of Casey Morse, it's ochratoxin?</p> <p>20 A. Ochratoxin A.</p> <p>21 Q. And what is ochratoxin A?</p> <p>22 A. Ochratoxin A is a multiple structured, carbon</p> <p>23 structure chemical that has produced notoriously from an</p> <p>24 organism called aspergillus ochraceus,</p> <p>25 O-C-H-R-A-C-E-U-S. And it -- but there's other</p> <p style="text-align: right;">87</p>	<p>1 mainstream journal -- that patients who have chronic</p> <p>2 illness that have a catchall phrase which M.D.'s give to</p> <p>3 chronic illness, chronic fibromyalgia, issues that</p> <p>4 nobody knows what the cause is, we have done patients --</p> <p>5 we have looked at over 250 patients now who have chronic</p> <p>6 fatigue, chronic illness, not just chronic fatigue and</p> <p>7 not just fibromyalgia, have ochratoxin A in 82 percent</p> <p>8 of all those patients and we're publishing that. We</p> <p>9 just finished that to get published. We're submitting</p> <p>10 that.</p> <p>11 MS. ERSOFF: Move to strike as nonrepnsive all</p> <p>12 testimony starting with "we have also found."</p> <p>13 MR. PARRISH: I'll ask my next question. She's made</p> <p>14 her record.</p> <p>15 BY MR. PARRISH:</p> <p>16 Q. Now, the test that was done at RealTime, the</p> <p>17 specimen, there's been no retesting --</p> <p>18 MS. ERSOFF: Vague and ambiguous.</p> <p>19 BY MR. PARRISH:</p> <p>20 Q. -- of her urine?</p> <p>21 A. Of Casey Morse?</p> <p>22 Q. Of Casey Morse's test?</p> <p>23 A. No.</p> <p>24 Q. So far as whether that ochratoxin remains in</p> <p>25 her body, do you have any way of knowing at all?</p> <p style="text-align: right;">89</p>

<p>1 A. I do not.</p> <p>2 Q. If you retested, another specimen was submitted</p> <p>3 to RealTime Labs, would the result of that test give you</p> <p>4 any more or less information?</p> <p>5 MS. ERSOFF: Calls for speculation, lacks</p> <p>6 foundation.</p> <p>7 THE WITNESS: She's right. It is speculative</p> <p>8 because I have seen patients who have been treated and</p> <p>9 they have no more toxin. I've seen patients who would</p> <p>10 have been treated and they have high amounts of toxin.</p> <p>11 So it depends on how much -- what they've been treated</p> <p>12 with and how long they have been treated and how much</p> <p>13 toxin they had in their body.</p> <p>14 BY MR. PARRISH:</p> <p>15 Q. So as far as you sit here today right now, you</p> <p>16 have no information to make any statement as to whether</p> <p>17 or not Casey Morse continues to have ochratoxin in her</p> <p>18 system?</p> <p>19 MS. ERSOFF: Objection, leading.</p> <p>20 THE WITNESS: My results that I've seen in other</p> <p>21 patients would help the clinician who sees her to</p> <p>22 ascertain whether or not he should retest or not. And</p> <p>23 those findings are that usually we see them going up if</p> <p>24 they're not treated. We see them staying the same if</p> <p>25 they're not treated. Let me -- if they're treated, they</p> <p style="text-align: right;">90</p>	<p>1 anti-fungal.</p> <p>2 Q. How does the information that was revealed by</p> <p>3 the urine specimen relate to fungus that might be within</p> <p>4 her body?</p> <p>5 MS. ERSOFF: Lacks foundation, calls for</p> <p>6 speculation, outside the scope of his designation and</p> <p>7 expertise.</p> <p>8 BY MR. PARRISH:</p> <p>9 Q. You may answer.</p> <p>10 A. When we get a result of a toxin like this, the</p> <p>11 first thing I talk with the clinician about is, do they</p> <p>12 have environmental fungi involved with them in some way?</p> <p>13 And have you done environmental tests? And have you</p> <p>14 done any toxin work other than this?</p> <p>15 And then we draw correlations between what</p> <p>16 fungi are found in the environment with what we know as</p> <p>17 far as the toxin that that fungi produced and then what</p> <p>18 is found in the patient and we draw a correlation and a</p> <p>19 causation association.</p> <p>20 Q. Does the RealTime testing of the urine reveal</p> <p>21 anything about whether there remains in the body,</p> <p>22 fungus?</p> <p>23 MS. ERSOFF: Calls for speculation.</p> <p>24 THE WITNESS: No, that is not speculation. That</p> <p>25 is -- there is no evidence that the fungus is present in</p> <p style="text-align: right;">92</p>
<p>1 go up in the urine usually.</p> <p>2 MS. ERSOFF: Move to strike as nonresponsive.</p> <p>3 BY MR. PARRISH:</p> <p>4 Q. And what would cause that?</p> <p>5 MS. ERSOFF: Calls for speculation.</p> <p>6 THE WITNESS: Treatment.</p> <p>7 MS. ERSOFF: Lacks foundation.</p> <p>8 BY MR. PARRISH:</p> <p>9 Q. By "treatment" you mean what?</p> <p>10 A. What we have found --</p> <p>11 MS. ERSOFF: Outside the scope of his designation --</p> <p>12 his expertise. Sorry.</p> <p>13 BY MR. PARRISH:</p> <p>14 Q. You may answer.</p> <p>15 A. What we have found by reviewing multiple</p> <p>16 medical charts in patients, by speaking with physicians</p> <p>17 who treat these patients, we found that if they use</p> <p>18 specifically N-acetylcysteine or Glutathione orally and</p> <p>19 they -- at some times they use an anti-fungal, that</p> <p>20 these patients improve immensely.</p> <p>21 Q. Are any of these medications administered</p> <p>22 nasally?</p> <p>23 A. They can be, yes. Glutathione can be -- and</p> <p>24 many physicians give oral Glutathione and intranasal</p> <p>25 Glutathione. And they give a nasal spray that's</p> <p style="text-align: right;">91</p>	<p>1 the body with our mycotoxin test. We test for the</p> <p>2 mycotoxins strictly.</p> <p>3 BY MR. PARRISH:</p> <p>4 Q. Are there other tests that other laboratories</p> <p>5 do to determine whether there remains fungus in the</p> <p>6 body?</p> <p>7 A. Right. They can do cultures, as we can. We're</p> <p>8 licensed to do cultures. Fifty percent of all fungal</p> <p>9 cultures are negative, even when the organism is there.</p> <p>10 MS. ERSOFF: Move to strike as nonresponsive</p> <p>11 everything starting with "fifty percent."</p> <p>12 BY MR. PARRISH:</p> <p>13 Q. And so is there any test that you know of</p> <p>14 that's reliable to determine whether fungus is within</p> <p>15 the body?</p> <p>16 MS. ERSOFF: Calls for speculation.</p> <p>17 THE WITNESS: That isn't speculation because I can</p> <p>18 testify with my degree and my certification as well as</p> <p>19 my knowledge in P.C.R. that, yes, Preliminary Chain</p> <p>20 Reaction studies are much more sensitive and specific</p> <p>21 than are cultures.</p> <p>22 So we can do P.C.R. on tissue and we can do</p> <p>23 them on blood and urine to find out if there's yeast or</p> <p>24 fungi present at a much different level than the</p> <p>25 cultures would find.</p> <p style="text-align: right;">93</p>

1 And that is present in the literature. That
 2 isn't speculation at 50 percent.
 3 BY MR. PARRISH:
 4 **Q. All right. RealTime Labs has never done that**
 5 **kind of testing with respect to Casey Morse?**
 6 A. No, we have not.
 7 MS. ERSOFF: Leading.
 8 BY MR. PARRISH:
 9 **Q. Is RealTime Labs able to do that kind of**
 10 **testing?**
 11 A. Yes. We're licensed to do molecular testing in
 12 fungi.
 13 **Q. Are fungi emitted by urine?**
 14 A. Emitted?
 15 MS. ERSOFF: Calls for speculation.
 16 BY MR. PARRISH:
 17 **Q. Excreted?**
 18 A. Yes.
 19 **Q. Is there a way to test urine -- or is that what**
 20 **you're talking about, you can tell test the urine to see**
 21 **if there was fungi in the urine?**
 22 A. Yes. We can do cultures and we can do P.C.R.
 23 And we oftentimes do P.C.R. for a candidate which is a
 24 yeast.
 25 **Q. You signed an affidavit in this case, did you**

94

1 **not?**
 2 A. I did.
 3 **Q. And I have a copy of your affidavit.**
 4 A. I brought a copy.
 5 **Q. Well, you have a copy with exhibits on it.**
 6 **Hand me -- or here it is. Excuse me.**
 7 A. Here's this exhibit back (indicating).
 8 **Q. I'll hand you a document and ask you if you can**
 9 **identify that.**
 10 A. This small piece of work?
 11 **Q. Yes. Is that the affidavit that you signed**
 12 **with the exhibits attached to it?**
 13 A. That is my affidavit and was signed on 6th of
 14 June, 2011.
 15 **Q. And does it have Exhibits A through K?**
 16 A. It does.
 17 **Q. Did you prepare that affidavit at my request?**
 18 A. Yes.
 19 MR. PARRISH: Let's have that marked as Exhibit 4,
 20 please.
 21 (Deposition Exhibit Number 4 was marked for
 22 identification, a copy is attached hereto.)
 23 BY MR. PARRISH:
 24 **Q. Does that affidavit -- you tell me what that**
 25 **affidavit reveals. What was its purpose and what have**

95

1 **you done by that affidavit?**
 2 MS. ERSOFF: Document speaks for itself.
 3 BY MR. PARRISH:
 4 **Q. You may answer.**
 5 A. The affidavit is an opinion that I have given
 6 regarding Ms. Morse and then I divide my affidavits in
 7 qualifications, and then it's a basis for my opinion or
 8 the nature of why I did my opinion and what criteria I
 9 used to draw those conclusions and then what were the
 10 general observations relating to her illness and disease
 11 by reviewing the clinician's medical records as well as
 12 comparing it to what.
 13 **Q. Are these opinions that you have stated here,**
 14 **opinions that you're qualified to give?**
 15 A. I am, yes, and they are.
 16 **Q. And are they listed one through nine?**
 17 A. They are.
 18 **Q. And I'll ask you to read the first one.**
 19 A. The onset and continuation of the illness of
 20 Casey Connor Morse, herein after referred to as
 21 Ms. Morse, is most probably directly caused by
 22 Ms. Morse's prolonged exposure, more particularly
 23 described in her affidavit which documents Ms. Morris's
 24 medically relevant personal history and is attached as
 25 Exhibit A hereto, to a variety of ochratoxin-producing

96

1 molds, including penicillium, aspergillus, or both.
 2 The spores and/or hyphae of which contaminated
 3 the environment in Ms. Morse's apartment at Broadcast
 4 Center, Los Angeles, California.
 5 **Q. What would cause you to say that?**
 6 A. From reviewing the environmental studies of
 7 Ms. Morse's apartment -- I have to get my train of
 8 thought. I've been disrupted a little bit.
 9 **Q. Take your time.**
 10 A. -- I've reviewed Dr. Kilburn's medical records.
 11 I've reviewed Ms. Morse's affidavit and the results of
 12 the environmental studies.
 13 **Q. Just let me ask. All of the things that you**
 14 **have reviewed to come to your opinions are attached to**
 15 **your affidavit?**
 16 A. Yes, correct.
 17 **Q. Except -- well, there's literature attached or**
 18 **these are --**
 19 A. Well, I don't know what the -- I did list my --
 20 on Exhibit D, I believe I said what the literature is I
 21 cited without putting them all in as exhibits, but I --
 22 Exhibit C is long.
 23 Exhibit D was the mycotoxin report. "E" is my
 24 resume or C.V. "F" is my paper on mycotoxins. And "G"
 25 is the requisition. "H" is my list of cases. And "I,"

97

<p>1 "I" is the list of literature that I cited and used to 2 draw my conclusions.</p> <p>3 Q. Okay. You have reviewed this affidavit, have 4 you not?</p> <p>5 A. Multiple times, yes.</p> <p>6 Q. Is there anything in the affidavit that you 7 would now change?</p> <p>8 A. No.</p> <p>9 Q. The opinions that you have stated, have you 10 stated them to a reasonable degree of medical certainty?</p> <p>11 A. Yes.</p> <p>12 Q. You've drawn on all of your experience, 13 training and education as well as your prior research 14 and the career that you've had that you've explained 15 this morning?</p> <p>16 A. Yes.</p> <p>17 Q. Let's just set that aside and let's go to 18 another.</p> <p>19 In that conclusionary kind of way, is it your 20 opinion based on the findings of the RealTime Lab's 21 testing of Ms. Morse's urine, that she has an illness 22 that's related to mold exposure?</p> <p>23 MS. ERSOFF: Objection. Leading.</p> <p>24 THE WITNESS: May I have Exhibit C back? Not C. My 25 results.</p> <p style="text-align: right;">98</p>	<p>1 Dr. Kilburn and other clinicians who see the 2 patient, Casey Morse, can make the diagnosis of 3 mycotoxicosis with the assistance of RealTime Lab's 4 data.</p> <p>5 Q. All right. You looked at all of her medical 6 records --</p> <p>7 A. I did.</p> <p>8 Q. -- to that date?</p> <p>9 You looked at what Dr. Kilburn had said to that 10 date?</p> <p>11 A. I did.</p> <p>12 Q. And were the reports that those physicians 13 gave, did those medical records that you reviewed, did 14 they -- were they consistent with what you know from 15 your experience and from the literature with illnesses 16 that ochratoxins can cause?</p> <p>17 MS. ERSOFF: Objection. Outside the scope of his 18 expertise, calls for speculation.</p> <p>19 THE WITNESS: They are consistent by not only me 20 reviewing the literature, but by reviewing other case 21 studies and other legal cases in which people who have 22 reviewed medical reports and medical charts, and also in 23 looking at what clinicians say about treatment plans and 24 how they're accepted in cases, i.e., in New York, that 25 these are not speculative.</p> <p style="text-align: right;">100</p>
<p>1 Let me state, at the bottom of what this -- 2 what our report -- what RealTime Lab's report says that 3 assist me and the clinicians --</p> <p>4 BY MR. PARRISH:</p> <p>5 Q. And that's Exhibit 3.</p> <p>6 A. Exhibit 3. I'm sorry.</p> <p>7 And this should read -- because the clinicians 8 are the ones that make the diagnosis, it is not the 9 medical director of RealTime, it is not RealTime 10 Lab -- "Tests such as this should be used only in 11 conjunction with other medically established diagnostic 12 elements, i.e., symptoms, history, clinical impressions, 13 results from other tests. Physicians should use all the 14 information available to them to diagnose and determine 15 appropriate treatment for their patients."</p> <p>16 RealTime Lab's data is significant in that it 17 can help the clinician make a diagnosis. It is very 18 similar to a glucose test. We can do a glucose test on 19 ourselves and we can have a high sugar. We are not 20 considered diabetic by having a glucose test that's 21 high.</p> <p>22 If the clinician is looking at the glucose test 23 and he takes all the symptoms that are involved and all 24 the other tests, he can, then, make the diagnosis of 25 diabetes.</p> <p style="text-align: right;">99</p>	<p>1 I depend as -- I depend on the clinicians; 2 the clinicians depend on RealTime Lab. So it's a --</p> <p>3 BY MR. PARRISH:</p> <p>4 Q. You explained earlier the function of a 5 pathologist when you get a specimen from a surgeon 6 asking you questions about it and the surgeon is 7 consulting you. Is this any different process here? 8 You're looking at a specimen which happens to be urine 9 and are you consulting with the clinician if the 10 clinician asks?</p> <p>11 MS. ERSOFF: Objection. Leading, incomplete 12 hypothetical.</p> <p>13 THE WITNESS: This is -- in some ways it's very 14 consistent with that. However, the art of pathology in 15 anatomic pathology is the ability to look at tissue, to 16 recognize tissue patterns in tumor analysis and to give 17 an ultimate diagnosis.</p> <p>18 The crown jewel of an anatomic pathologist is 19 he has the ability to make a diagnosis of a disease in a 20 tumor or a malignancy. A pathologist does not have the 21 crown jewel ability to make a diagnosis on the clinical 22 side. He has the ability to give ordering physicians 23 the results of tests they've ordered and to assist the 24 clinician in making a decision about what the diagnosis 25 is.</p> <p style="text-align: right;">101</p>

<p>1 BY MR. PARRISH: 2 Q. Was there any assistance to you -- and let me 3 just ask as an example. 4 Your medical records that you reviewed 5 indicated that Casey Morse had had sinus surgery; is 6 that correct? 7 A. That's correct. 8 Q. Did that -- that piece play any part in the 9 opinions that you've rendered and stated in your 10 affidavit? 11 MR. PARRISH: Assumes facts, incomplete 12 hypothetical, lacks foundation, calls for speculation, 13 outside the scope of his expertise. 14 THE WITNESS: I'd like to clarify one thing. There 15 is a case in California that it does not limit my 16 expertise to look at medical records. There's a 17 pathology case that was ruled. 18 BY MR. PARRISH: 19 Q. Okay. But you did look at the medical records? 20 A. I did. 21 Q. And was looking at her medical records an 22 important part of the opinions that you state in your 23 affidavit? 24 A. Yes, it was. 25 Q. And what part did looking at her medical</p> <p style="text-align: right;">102</p>	<p>1 Here it is. Page 86, page 84. 2 Q. Part of Exhibit C? 3 A. Right. 4 Q. Okay. Refer to that and explain to me what 5 part that played. 6 A. It goes through Page 90. 7 I looked at all these, whether -- what the 8 fungus spores are, the hyphy and other contaminates that 9 they find. In the one study that was done on the 21st 10 of January, 2009, they found a high components of stachy 11 botyrous and hyphal fragments. And hyphal fragments 12 they don't describe. 13 However, on Page 89 of Exhibit C, they go on 14 and they talk about this limited preliminary microbial 15 assessment. Collected from the foyer, they find 16 aspergillus, penicillium, stachy botyrous, and most 17 important to me is the organism chaetomium, 18 C-H-A-E-T-O-M-I-U-M. This was found in two of the three 19 areas that they talk about. This is documented in 20 literature to cause brain tumors and has been isolated 21 in brain tumors. 22 Aspergillus and penicillium is found in every 23 one of them. And they talk about -- they compare this 24 in the foyer and the living room, and these are 25 significant findings. And I use that, I look at those</p> <p style="text-align: right;">104</p>
<p>1 records play in the opinions that you stated in your 2 affidavit? 3 A. Very important part. By looking at the history 4 and what they found and then correlating them with what 5 toxin we found from the fungi. Because the sinusitis 6 can be caused and probably was caused by an infection 7 related to molds and/or bacteria. 8 Q. When you used -- let me just go just through 9 and ask. 10 You looked at the environmental studies that 11 had been done in her apartment, did you not? 12 A. I have. 13 Q. And what part did the environmental studies 14 that you looked at play in the opinions that you 15 rendered and stated in your affidavit? 16 A. A major part. 17 Q. In what way? 18 A. In looking at what types of bacteria were found 19 and what types of bacteria were not found. 20 Q. And what did you find that were found that 21 related -- 22 A. I need to see that environmental study. 23 (Examining documents.) 24 Q. I think that's exhibit -- I just saw it. 25 A. I think it's in Exhibit C. I'll find it.</p> <p style="text-align: right;">103</p>	<p>1 and I find -- I look at what the ochratoxin value was in 2 the urine because they come from aspergillus and 3 penicillium patients. They're a species. 4 Q. What's important about that chaetomium? 5 A. Chaetomium is an organism that should not be in 6 an environment. It is found in -- and in the literature 7 and the peer reviewed literature it states chaetomium is 8 found in water-induced environments. In other words, 9 contaminated environments with water. Chaetomium has 10 been isolated and multiple brain tumors have shown this 11 and in nerve tissue that chaetomium should not be there. 12 It doesn't produce -- we cannot find the toxin it 13 produces yet. There are pieces in the literature that 14 say what toxins are produced, but we have not been able 15 to find them. 16 Q. As far as the toxins that RealTime Labs tested 17 for, did they test for any more than just the three? 18 A. No. 19 Q. So there could be toxins in the urine that were 20 just not tested for? 21 A. Correct. 22 Q. Now, you didn't collect these samples, did you? 23 A. No. 24 Q. So you're assuming that the samples were 25 correctly collected and reported?</p> <p style="text-align: right;">105</p>

<p>1 MS. ERSOFF: Calls for speculation. 2 BY MR. PARRISH: 3 Q. In relying on those reports, you're assuming 4 that; is that correct? 5 A. That is correct. 6 Q. As far as the organisms that are reported as 7 having been found, do you have intimate familiarity with 8 those organisms? 9 MS. ERSOFF: Calls for -- 10 MR. PARRISH: Strike the intimate. 11 BY MR. PARRISH: 12 Q. Are you familiar with it? 13 A. I'm familiar with the org- -- 14 MS. ERSOFF: Vague and ambiguous. 15 BY MR. PARRISH: 16 Q. And you're familiar with the organisms enough 17 to know their structure and what the result of the -- of 18 those organisms are on the human body -- 19 A. Correct. 20 Q. -- in susceptible people? 21 A. And non-susceptible people. 22 Q. What's the difference there? Some people would 23 be effected or not effected, or how is the effect 24 proposition? 25 A. If we are con- -- if we are not -- I work with</p> <p style="text-align: right;">106</p>	<p>1 on Casey Morse's affidavit that you attached; is that 2 correct? 3 A. That's correct. 4 Q. And so for the purposes of your analysis, you 5 presumed what she said was accurate? 6 A. That's correct. 7 Q. On what -- of what significance was 8 Casey Morse's affidavit to your opinions that you 9 reached? 10 A. Well, if we go back to her affidavit, which is 11 in Exhibit A, she talks about what she did, but then 12 what her allergies were and what -- where she lived and 13 what experience she had while she was in this 14 environment and what happened when there was a water 15 leak. 16 Now, as physicians, we are trained to look at 17 patients and their stories and ascertain whether or not 18 they appear to be within the normal realm of 19 understanding. When I look at Casey Morse's affidavit 20 taken under oath, I believe what she says is true. She 21 talks about a water leak, she talks about she had 22 problems and -- 23 Q. Was that equivalent to a history for a 24 clinician? 25 A. It's equivalent to her history, yes. Not to a</p> <p style="text-align: right;">108</p>
<p>1 these all the time and I don't consider myself as a 2 susceptible type of individual, but I work under the 3 hood, I wear a mask, I wear gloves and I wear a gown. 4 Now -- and so do my techs. 5 But if I was sitting there and breathing this 6 in, in a carpet, or if I was in the -- if there was a 7 wall that was wet and it had the organisms growing 8 behind the wall and the toxins and/or the organism 9 spores were being elaborated, or whatever word you want 10 to use to get out into the air, I can breath them in and 11 my filter -- my lung filter picks those things up. 12 So I become susceptible because the longer I 13 breathe these in and have a higher exposure to them, I 14 can get sick. 15 Now, if I am extremely susceptible for any 16 reason, I had a history of allergies, I have a history 17 of my lymphocytes not being able to be activated to 18 fight off these infections, I have a higher tendency of 19 being able to not fight these off, just like an H.I.V. 20 patient. 21 MS. ERSOFF: And I'm going to interpose a late 22 objection that it's outside the scope of his expertise 23 and it lacks foundation. 24 BY MR. PARRISH: 25 Q. Now, you say in your affidavit that you relied</p> <p style="text-align: right;">107</p>	<p>1 clinician's history, but -- 2 Q. But to a clinician is the patient's history an 3 important part of the treatment process? 4 A. Yes. 5 Q. What you read from Casey Morse's affidavit, was 6 that consistent with the other findings that you relied 7 on to reach your opinion? 8 A. It was. 9 Q. And Exhibit D is the mycotoxin panel report. 10 That's what's been admitted as Exhibit 3 this morning, 11 is it not? 12 A. It is. 13 Q. And Exhibit E is your curriculum vitae? 14 A. It is. 15 Q. Is that up to date, accurate? 16 A. Yes. 17 Q. You said that there was -- there were some 18 papers that were under -- at the point of being 19 published; is that right? 20 A. That is correct. 21 Q. And is one of those in collaboration with or as 22 co-authoring with a Dr. Brewer? 23 A. Dr. Joseph Brewer, yes. 24 Q. How did the two of you all begin to research 25 this issue together?</p> <p style="text-align: right;">109</p>

1 A. I'm interested in looking at these toxins and
2 why they kill transplant patients. And Dr. Brewer is
3 mainstream medicine M.D. infectious disease doc head of
4 transplant service at Kansas City Hospital. It's -- I
5 think it's Baptist, but -- Presbyterian. I knew it
6 was -- it wasn't Lutheran.
7 So -- and he is very interested in sending us
8 transplant specimens. And when we started talking, we
9 talked about his chronic illness patients and how they
10 can't get better. They're diagnosed with Lyme disease,
11 they're diagnosed with chronic fatigue and perhaps we
12 can look at these patients. And so we looked at over
13 250 patient now and not only through him, but through
14 others, to see if they do have toxins and 82 percent of
15 them do have those toxins, so he's treating them.
16 **Q. When you say "looked at" --**
17 A. They send us urines. They sent RealTime Lab,
18 urine.
19 **Q. So that's the nature of that publication?**
20 A. That is correct.
21 **Q. All right. Exhibit G says testing requisition.**
22 **Is that just a business form?**
23 A. It's not a business form. That's the medical
24 order that the doctor -- we cannot do a test without the
25 medical physician signing our form. It's like writing a

110

1 prescription.
2 **Q. Was that Dr. Kilburn?**
3 A. That is Dr. Kilburn, yes.
4 **Q. Then exhibits, articles, citations, those are**
5 **published peer reviewed articles?**
6 A. Yes.
7 **Q. And there has been much additional literature**
8 **since this, has there not?**
9 A. Oh, yes, that's true.
10 And then you forgot Exhibit H which is my
11 hearing and trial testimony.
12 **Q. Okay. Has there been more hearing and trial**
13 **testimony since that time?**
14 A. I don't know.
15 **Q. All right. Now, this issue about validation,**
16 **you mentioned Dr. Saxon, did you not --**
17 A. Yes.
18 **Q. -- in that regard?**
19 **And have you been an expert witness in the case**
20 **of Sugar Creek Interior versus Aquarium Design involving**
21 **illness to Cindy Hunter?**
22 A. Yes.
23 **Q. And was there a deposition given by Dr. Saxon**
24 **for the defense in that case?**
25 A. Yes.

111

1 **Q. And did he attack the validation of the testing**
2 **that RealTime Labs does?**
3 A. He did he that at this instance and he's done
4 it at other instances in the past.
5 **Q. Okay. were you called on in the context of the**
6 **Sugar Creek case to give an affidavit responding to**
7 **Dr. Saxon's complaint that your testing -- or the**
8 **testing of RealTime Labs was not validated?**
9 A. I was.
10 **Q. I want to hand you -- in the process of that,**
11 **did you gather together certain documents that verified**
12 **the validation that you've previously related earlier in**
13 **your testimony today?**
14 A. I did, yes.
15 **Q. I want to hand you some documents. First I'll**
16 **hand you this (indicating), and ask if you can identify**
17 **that as an affidavit you gave in the Sugar Creek case.**
18 MS. ERSOFF: Counsel, do I have a copy of that?
19 MR. PARRISH: You may. I thought it was in this
20 stack, but you certainly can have a copy.
21 MS. ERSOFF: No, I don't have this. Is there else
22 you're going to be questioning Dr. Hooper on that I
23 don't have a copy of?
24 MR. PARRISH: You just made a copy of everything in
25 this and so that's where I'm going to. I thought I

112

1 would find that in there.
2 MS. ERSOFF: It's not in there. Is there anything
3 else that I should copy before we go forward? Because I
4 want to make sure that I'm able look at anything you're
5 questioning the witness on.
6 MR. PARRISH: Let's go off the record so I can
7 answer counsel's questions.
8 THE VIDEOGRAPHER: Off the record. The time is
9 12:26.
10 (A recess was taken from 12:26 p.m. to 12:35 p.m.)
11 THE VIDEOGRAPHER: We're back on the record. The
12 time is 12:35.
13 BY MR. PARRISH:
14 **Q. Dr. Hooper, I handed you a document and ask if**
15 **you can identify that, please.**
16 A. Yes. The document you just handed me is an
17 affidavit that I prepared on the Sugar Creek Interiors
18 versus Aquarium Design Group.
19 **Q. What is the date of that affidavit?**
20 A. 28 March, 2012.
21 **Q. And the title of that affidavit is?**
22 A. Affidavit of Dennis Hooper on validation.
23 **Q. It's on validation.**
24 **Is that -- what was the -- what does that**
25 **affidavit do as far as what you're making oath to today?**

113

1 MS. ERSOFF: Objection. Document speaks for itself.
2 THE WITNESS: The reason that I wrote this is
3 because this is a document against Dr. Kelman who
4 testified about validations in his incorrect assumptions
5 and conclusions and I gave evidence to show why he was
6 wrong, in my opinion and in the literature opinion.
7 BY MR. PARRISH:
8 **Q. And that's -- does that also make reference to**
9 **testimony about Dr. Saxon?**
10 MS. ERSOFF: Objection. Leading. The document
11 speaks for itself.
12 THE WITNESS: This is -- yes. There are two
13 transcript that I referred to. Dr. Kelman's dated
14 October 18, 2011 and Dr. Saxon dated October 19, 2011.
15 MR. PARRISH: I'll ask that that be made Exhibit 5.
16 (Deposition Exhibit Number 5 was marked for
17 identification, a copy is attached hereto.)
18 BY MR. PARRISH:
19 **Q. Did you have in support of the oath that you**
20 **made in that affidavit, certain documents that you**
21 **submitted?**
22 A. That I supplied you as well, yes.
23 **Q. And I'll hand you first what is a transcript**
24 **dated April 22, 2010 in the case of Ricardo Rivera**
25 **versus Aimco Pathfinder Village Apartments,**

114

1 **Superior Court in the State of California, County of**
2 **Alameda.**
3 **Did you testify in a 402 hearing in that case?**
4 A. I did.
5 **Q. And I'll ask you if you can identify that as a**
6 **transcript of that 402 hearing where you gave testimony.**
7 A. Yes, it is.
8 **Q. And the testimony that's reported in that**
9 **transcript, is it under oath?**
10 A. Oh, yes.
11 **Q. And were you examined and cross-examined?**
12 A. Yes, yes. Painfully so.
13 **Q. Was the -- did the judge participate in the**
14 **examination?**
15 A. Painfully so.
16 **Q. All right. And was one of the questions that**
17 **would put in that examining process the validation of**
18 **the RealTime Lab's testing?**
19 A. Yes, it was.
20 MS. ERSOFF: Counsel, before you go any further, did
21 you identify this as a transcript of the 402 hearing?
22 Am I looking at the wrong document?
23 MR. PARRISH: Yes.
24 MS. ERSOFF: Can you show me which one you're
25 looking at?

115

1 MR. PARRISH: (Indicating.)
2 MS. ERSOFF: Okay. Thank you. I was looking at the
3 deposition.
4 MR. PARRISH: Okay. And I'll ask that be made
5 Exhibit 6.
6 (Deposition Exhibit Number 6 was marked for
7 identification, a copy is attached hereto.)
8 BY MR. PARRISH:
9 **Q. The transcript speaks for itself, does it not?**
10 A. It does.
11 **Q. The end result that the judge ruled that there**
12 **was nothing that excluded your testimony because of**
13 **invalidation of the tests used by RealTime?**
14 A. That is correct.
15 MS. ERSOFF: Lacks foundation, hearsay.
16 BY MR. PARRISH:
17 **Q. Okay. I'll hand you another document and ask**
18 **if you can identify that. This is a document that's**
19 **entitled "Measurement of mycotoxins in patients with**
20 **chronic rhinosinusitis."**
21 **Did you submit that up support of your**
22 **testimony through the affidavit that your tests were**
23 **validated?**
24 A. I did. This paper relied on our data from
25 RealTime Lab.

116

1 **Q. Okay. Would you say -- identify it as far as**
2 **the journal and when it was published.**
3 A. It was a -- in the American Academy of
4 Otolaryngology, head and neck surgery, of 2011 and it's
5 called measurement of mycotoxin patients with chronic
6 rhinosinusitis, R-H-I-N-O-S-I-N-U-S-I-T-I-S, and it's by
7 Leiberman, et al.
8 **Q. What is the significance of that about**
9 **validation of the testing that RealTime does?**
10 MS. ERSOFF: Speculation.
11 THE WITNESS: A laboratory such as RealTime Lab use
12 the findings in patients that physicians use to
13 further -- not only do we use our own validations, but
14 we use findings inpatient to validate our tests further.
15 BY MR. PARRISH:
16 **Q. And that article reports that kind of**
17 **information?**
18 A. Correct.
19 MR. PARRISH: Okay, now let's make that Exhibit 7.
20 (Deposition Exhibit Number 7 was marked for
21 identification, a copy is attached hereto.)
22 BY MR. PARRISH:
23 **Q. Now, you have referred and used the term main**
24 **line in reference to physicians a few times in your**
25 **testimony.**

117

1 A. Mainstream.
 2 **Q. Mainstream.**
 3 A. Main line is (indicating).
 4 **Q. What do you mean when you use term main line --**
 5 A. Okay.
 6 **Q. -- in that context?**
 7 A. In the past, we as M.D.'s have thought anybody
 8 who's doing everything that the medical schools teach
 9 and only think in that box are mainstream physicians.
 10 And anybody who is outside that is a weirdo, including I
 11 used to think that, until I started thinking outside the
 12 box a little bit.
 13 Then I found out that the only way that you can
 14 move ahead in medicine or in anything else is start
 15 thinking outside the box. So, now, in medicine, there
 16 are the mainstream docs and the integrate activity
 17 medicine docs.
 18 The two think differently. They do not think
 19 wrongly, either one of them don't think in a wrong
 20 fashion. There are some extremists, just like in
 21 politics. But there are mainstream integrated medicine
 22 and there are mainstream medicine -- mainstream medicine
 23 people.
 24 And that's what I mean. If we're taught in
 25 mainstream to think inside the box and if we find a

118

1 patient who doesn't have -- we can't fit them into
 2 what's wrong with them, well, it's in their head or
 3 they're just -- we don't know what's wrong.
 4 **Q. Adverse effects on the human body attributable**
 5 **to mold and fungi and mycotoxins, is that an in the box**
 6 **or outside the box, or either?**
 7 A. It's both. Mold is documented to cause
 8 problems in immuno compromised patients.
 9 **Q. In the box?**
 10 A. In the box.
 11 **Q. Okay.**
 12 A. They're in the infectious disease box. When
 13 you have an H.I.V. case or when you have a transplant
 14 case or you're being treated with chemotherapy, you
 15 become so immuno compromised, you have to put yourself
 16 in a room where everybody who comes in to see wears a
 17 mask, because anything they have, normal flora, strep,
 18 staph, even if there's a fungus in the hospital vents,
 19 they can get sick. The docs know that. The integrated
 20 medicine docs over here in this box believe that you can
 21 get molds from a lot of different things.
 22 Now, infectious disease people are starting to
 23 think that, gee, even though we can't find these molds,
 24 there's other reasons why they're dying and why they're
 25 getting sick from the toxins.

119

1 **Q. So is there a merging?**
 2 A. Well, I would like to think that and I would
 3 like to think that RealTime Lab is helping them think in
 4 that -- in those regards.
 5 **Q. From the experience of RealTime Labs today**
 6 **versus last year versus the year before, have you seen**
 7 **changes that are indicative to you that there's a**
 8 **merging in the process?**
 9 A. I believe there is a -- I don't want to call it
 10 merging, but there is a movement of commonality between
 11 the two boxes in that they're starting to believe in the
 12 infectious disease, pulmonology area, internal medicine
 13 and even in the neurology area, that -- and in
 14 psychiatry, that these toxins cause problems. And you
 15 see this in their literature.
 16 **Q. Well, do you speak to medical society groups**
 17 **and medical meetings around the country?**
 18 A. I do.
 19 **Q. How frequently?**
 20 A. In the past we only did maybe two or three -- I
 21 only did two or three a year. We have taken it upon
 22 ourselves and I've been directed by the board to do at
 23 least seven a year now.
 24 **Q. And as far as invitations are concerned, you**
 25 **are invited?**

120

1 A. Yes.
 2 **Q. And do you have more invitations than you can**
 3 **fulfill?**
 4 A. Yes. I'm tired.
 5 **Q. And are you speaking to mainstream physicians?**
 6 A. We are. And we're speaking to -- we're
 7 speaking to pulmonologists, internists, infectious
 8 disease people, transplant people. We're also speaking
 9 to integrated medicine people.
 10 **Q. And when you attend these meetings and you make**
 11 **your presentation, present your work, do things come out**
 12 **of them every time?**
 13 A. Yes. We have more patients who the docs to
 14 send us.
 15 **Q. Did your interaction with Dr. Brewer come out**
 16 **of one of these meetings?**
 17 A. It may have. I think the major reason is
 18 because he went to a meeting that RealTime Labs data was
 19 discussed, not -- I wasn't at that meeting.
 20 **Q. Approximately how many physicians from where**
 21 **are now referring specimens to RealTime Labs for**
 22 **E.L.I.S.A. testing?**
 23 A. As of the 1st of October, we had 520 physicians
 24 who refer. Now, those are mainstream, there are
 25 integrated medicine people and there are licensed

121

<p>1 caregivers in different states, natural paths, 2 et cetera. The majority of our people are M.D.'s and 3 D.O.'s</p> <p>4 Q. "Our people" you mean persons who -- 5 A. That are clients. Docs who send us specimens.</p> <p>6 Q. And who pays for the E.L.I.S.A. testing that 7 RealTime Labs does? 8 A. We are a Medicare licensed laboratory. We do 9 not accept assignment from Medicare. We are -- we do 10 not accept assignment from any insurance companies like 11 Blue Cross, Blue Shield. However, we do billing for the 12 patient and we have the assignment sent directly to the 13 patient. And the insurance companies are paying for 14 this. Medicare is not yet because it is not F.D.A. 15 approved. However, recently we got an instruction from 16 Medicare saying that we should resubmit to Medicare for 17 approval because they are doing non-F.D.A. approved 18 testing they're paying for.</p> <p>19 Q. Meaning they're paying for it? 20 A. Surprisingly.</p> <p>21 MS. ERSOFF: Move to strike as nonresponsive. 22 BY MR. PARRISH:</p> <p>23 Q. All right. Now, you're saying 500 and some odd 24 physicians. Now, how does that compare with the number 25 of physicians this time last year?</p> <p style="text-align: right;">122</p>	<p>1 well, let's mark that as the exhibit, and that's eight. 2 (Deposition Exhibit Number 8 was marked for 3 identification, a copy is attached hereto.) 4 BY MR. PARRISH: 5 Q. I'll hand you another document. It says 2010 6 Lab Accreditation Program Audio Conference. 7 And is this a document you can identify as you 8 having used to support your validation affidavit? 9 A. That is correct, yes 10 MR. PARRISH: Okay. Let's mark that as Exhibit 9. 11 (Deposition Exhibit Number 9 was marked for 12 identification, a copy is attached hereto.) 13 BY MR. PARRISH: 14 Q. What's the significance of that? 15 A. This is a PowerPoint presentation that is on 16 the American College of Pathology web site that talks 17 about how laboratory developed tests should be 18 validated. 19 And this physician, Dr. Castellani, describes 20 in great detail how validation should be done. He cites 21 every piece of literature there is on what the 22 checklists are for C.A.P., what F.D.A. wants, and what 23 the terminology means, everything that has to do with 24 validation. 25 Q. And has RealTime Labs met the criteria that are</p> <p style="text-align: right;">124</p>
<p>1 A. It was -- I think we had about 300. That's 2 speculation, but I think we had about 300 last year.</p> <p>3 Q. Have you seen it change? 4 A. Yes. Oh, definitely.</p> <p>5 Q. And is the change in progress right now, 6 meaning continuing to pick up new doctors? 7 A. Uh-huh.</p> <p>8 Q. Now, back to where I was. I'll hand you a 9 document that says Clinical Laboratory Standard 10 Institute, Volume 24, Number 34. 11 And did you submit that in support of your 12 affidavit relative to validation of the RealTime Lab's 13 testing? 14 A. Yes. This is only a few pages of this EP117 -- 15 or EP17-A which is the guidelines to laboratories on how 16 to determine limit of detection in validations.</p> <p>17 Q. Does that -- has RealTime Laboratories 18 withstood that checklist or that those criteria that set 19 out there by C.L.I.A., not just in those few pages, but 20 all of them? 21 A. Right. The document is very thick, and yes, 22 we've withstood those investigations. And we have also 23 withstood this because we cite this in our peer review 24 paper on mycotoxins. 25 Q. I'll hand you another document that says --</p> <p style="text-align: right;">123</p>	<p>1 spoken of in that Exhibit 9? 2 A. Yes. We meet the criteria that Dr. Castellani 3 talks about, keeping in mind he is summarizing all the 4 validation procedures that the C.A.P. and C.L.I.A. use. 5 So he did not -- he's not the authoritative person on 6 this, but he is summarizing individual for College of 7 American pathology. 8 Q. I'll hand you another document that's says 9 International Journal of Molecular Science, 2009, 10 mycotoxin detection in human samples and other -- rest 11 of the title. 12 I'll ask you if you submitted that in support 13 of your affidavit regarding validation of RealTime Labs? 14 A. Yes. 15 MR. PARRISH: And let's mark this as Exhibit 10. 16 (Deposition Exhibit Number 10 was marked for 17 identification, a copy is attached hereto.) 18 BY MR. PARRISH: 19 Q. What is the significance of that document 20 relative to validation of RealTime Laboratories? 21 A. This is the paper that -- peer reviewed paper 22 that we submitted and, that is, myself, Dr. Bolton, 23 Dr. Guilford and David Straus from Texas Tech on 24 mycotoxin detection in human samples, and this was 25 talking about our validations.</p> <p style="text-align: right;">125</p>

<p>1 Q. And I'll hand you another document, the top 2 line of which says, 12 international applications 3 published under the patent cooperative treaty P.C.T. 4 And I'll ask you, can identify that as 5 something that you be submitted in support of your 6 validation affidavit? 7 A. Yes. 8 Q. What's the significance of that relative to 9 validation of RealTime Lab? 10 MR. PARRISH: And that will be marked as Exhibit 11. 11 (Deposition Exhibit Number 11 was marked for 12 identification, a copy is attached hereto.) 13 THE WITNESS: This is a patent that was submitted in 14 February of '08 after RealTime Lab has submitted theirs 15 and this has to do with treatment of motor neuron 16 disease in inflammatory diseases. And their patent was 17 to talk about trichothecenes in Lou Gehrig's disease, or 18 A.L.S. 19 And this comes out of inventors at 20 Vanderbilt University where they originally sent 21 RealTime Lab's specimens from their A.L.S. patients. We 22 found trichothecenes. And unbeknownst to them that we 23 had filed our patent prior to then, so they have not had 24 theirs approved, nor have we had our approved. But once 25 they get theirs approved, we will be talking to them.</p> <p style="text-align: right;">126</p>	<p>1 the subject matter of that patent application which is 2 Exhibit 11? 3 MS. ERSOFF: Leading. 4 THE WITNESS: We believe so. 5 BY MR. PARRISH: 6 Q. Okay. I'll hand you another document that's 7 International Journal of Molecular Science, 2011, 8 assessment of aspergillus fumigatus and other parts of 9 the title. 10 Is that a document that you can identify that 11 you submitted in support of your validation affidavit? 12 A. Yes. 13 MR. PARRISH: I'm going to mark that as Exhibit 12. 14 (Deposition Exhibit Number 12 was marked for 15 identification, a copy is attached hereto.) 16 BY MR. PARRISH: 17 Q. What is significance of that document relative 18 to RealTime Lab's validation of its testing? 19 A. The D.N.A. probes we have for aspergillus 20 fumigatus we believe are sensitive and specific enough 21 to find very small amounts of aspergillus in patients 22 who have transplant. And the only way we could prove 23 that is to work with National Institute of Health in 24 their animal model, so we approached -- well, N.I.H. 25 approached us in a Toronto meeting three years ago and</p> <p style="text-align: right;">128</p>
<p>1 BY MR. PARRISH: 2 Q. But you're talking about patent applications? 3 A. Correct. 4 Q. RealTime Labs -- you as the inventor had 5 submitted -- 6 A. Through M.S.C.I., yes. 7 Q. -- to your -- your patent application? 8 A. (Witness nods head.) 9 Q. "Yes"? 10 A. Yes. 11 Q. And then after that RealTime Labs had done lab 12 work on A.L.S. patients submitted from the Vanderbilt 13 researcher? 14 A. Right. 15 MS. ERSOFF: Leading. 16 BY MR. PARRISH: 17 Q. And then the Vanderbilt researchers, apparently 18 unaware of your patent pending, submitted an application 19 for a patent for what you already had asked for a 20 patent? 21 MS. ERSOFF: Leading. 22 THE WITNESS: Two years after we did. 23 BY MR. PARRISH: 24 Q. But the research that you had done, the 25 methodologies that you used at RealTime Laboratories are</p> <p style="text-align: right;">127</p>	<p>1 told us we should work with the animal model. 2 The animal model is centered in San Antonio at 3 the University of Texas Health Center and Dr. Thomas 4 Patterson who's an infectious disease, slash, N.I.H. 5 individual has the animal model in guinea pigs and he 6 infected the animals with aspergillus. We detected them 7 in very small amounts and so we published that. 8 And now that's what we're going to F.D.A. with 9 to say we want to detect very, very small amounts in 10 transplant patients. 11 Q. What's the significance of very, very small 12 amounts? You're making -- is that a good thing or a bad 13 thing? 14 A. When a patient has a transplant, 33 percent of 15 solid organ transplants, after 13 to 15 months -- this 16 is out of World Health Organization data -- die from an 17 infection. And of those 33 percent, about 24 to 26 18 percent of them die from fungal infections. 19 So we believe at the RealTime Lab level, that 20 these probes, if you do the work -- if you probe the 21 patient before he has transplant and you don't have any 22 D.N.A. to aspergillus, then as he progresses and he -- 23 after he has the transplant, he does fine for the first 24 six months unless he's a kid. If he's a kid, less than 25 eight years old, he gets sick within the first three to</p> <p style="text-align: right;">129</p>

1 four months. And if those kids -- we can detect those
 2 D.N.A. components quickly, we can ask the docs to give
 3 them anti-fungals in a much lower level, lower dose that
 4 is not detrimental to their tissues. And so we believe
 5 that that can help.
 6 And F.D.A. is very interested in this.
 7 **Q. Are you one of the authors of that paper?**
 8 A. I'm the first author, yes.
 9 **Q. And that was published in a peer reviewed**
 10 **journal?**
 11 A. Peer reviewed journal in 2011.
 12 **Q. I'll hand you the next document. At the top is**
 13 **says 42 USC 263-A and I'll ask if that's a document that**
 14 **you submitted in support of your validation --**
 15 A. It is.
 16 **Q. -- affidavit?**
 17 MR. PARRISH: And let's mark that was Exhibit 13.
 18 (Deposition Exhibit Number 13 was marked for
 19 identification, a copy is attached hereto.)
 20 BY MR. PARRISH:
 21 **Q. And if you look at Exhibit 13, and what is --**
 22 **what does that have to do with validation of RealTime**
 23 **Lab's testing?**
 24 A. This is from the public health and welfare
 25 chapter title 42 and it talks about how clinical

130

1 laboratories are licensed and giving certifications
 2 through C.L.I.A.
 3 **Q. That's the statutory basis for C.L.I.A.'s**
 4 **existence; is that correct?**
 5 A. Title 42.
 6 MS. ERSOFF: Leading.
 7 THE WITNESS: It's the statutory title for C.L.I.A.
 8 to exist, yes.
 9 BY MR. PARRISH:
 10 **Q. Okay. I'll hand you another document. It says**
 11 **C.A.P., every patient deserves to gold standard. I'll**
 12 **ask if that is a document that you submitted in support**
 13 **of the validation of RealTime Lab's testing?**
 14 A. It is.
 15 MR. PARRISH: Let's mark that as Exhibit 14.
 16 (Deposition Exhibit Number 14 was marked for
 17 identification, a copy is attached hereto.)
 18 BY MR. PARRISH:
 19 **Q. And what does that have to do with RealTime**
 20 **Lab's testing validation?**
 21 A. All of College of American Pathology -- all of
 22 the laboratories must review their procedures through
 23 what they call checklists and this is an all common
 24 checklist that talks about quality management and
 25 quality control and test method validation and what are

131

1 the validation questions that a C.A.P. inspector will
 2 ask to review that we must have available at any given
 3 moment if an inspector walks in.
 4 **Q. And is C.A.P. a government agency?**
 5 A. Well, it's -- originally, no. It was set up by
 6 pathologists to help the pathologists be not overrun by
 7 the government. But ultimately in the '80s when
 8 C.L.I.A. was set up, C.L.I.A., then, was set up through
 9 Medicare. C.L.I.A., then, was in every state, C.A.P.
 10 decided, hum, we better work with C.L.I.A. So now
 11 C.A.P. works hand in hand with C.L.I.A.
 12 So as a laboratory, you can be C.L.I.A.
 13 certified only, or you can be C.A.P., C.L.I.A. And we
 14 are C.A.P., C.L.I.A. We're both certifications.
 15 **Q. Does C.A.P. have more rigorous standards or is**
 16 **that a higher level of certification, or is there no way**
 17 **to compare?**
 18 A. Depends on who you talk with. They're the same
 19 standards. I think they're all rough.
 20 **Q. All right. I'll hand you the next document and**
 21 **this has handwritten at the top, Harvard, Yale, U.S.**
 22 **Navy, Portsmouth and it says C.B.R.N.E.-T2 mycotoxins.**
 23 **And there's highlighting and underlining on this, but**
 24 **that was obviously not a part of the original document.**
 25 **Can you identify that document.**

132

1 A. I can. This is a --
 2 MR. PARRISH: Let's mark that as Exhibit 15.
 3 (Deposition Exhibit Number 15 was marked for
 4 identification, a copy is attached hereto.)
 5 THE WITNESS: This is a document that's on the web
 6 site that we get -- the we, as physicians get continuing
 7 medical education credit for by reading and then taking
 8 a test for it. It's a Med Scape is what -- it's a Med
 9 Scape reference that physicians can look at and keep to
 10 be updated.
 11 And this is concerning trichothecene mycotoxins
 12 and how the people at Portsmouth Naval Hospital found
 13 these toxins in some patients in the emergency room.
 14 The individual, Dr. Park is a pulmonologist in Chicago
 15 now and he believes in these toxins as well.
 16 BY MR. PARRISH:
 17 **Q. All right. And is this any testing or**
 18 **methodology used there that's the same as --**
 19 A. They talk --
 20 MS. ERSOFF: Lacks foundation, calls for
 21 speculation.
 22 THE WITNESS: Under -- on Page 6 of this, they talk
 23 about laboratory studies they talk about E.L.I.S.A.
 24 testing.
 25 ///

133

1 BY MR. PARRISH:
2 **Q. Do you have anything to do with that testing at**
3 **all?**
4 A. No.
5 MS. ERSOFF: Move to strike as responsive the
6 previous answer to the previous question.
7 BY MR. PARRISH:
8 **Q. On the front page of that do you see**
9 **handwriting?**
10 A. Harvard, Yale, U.S. Navy, Portsmouth.
11 **Q. Did you write that?**
12 A. I did.
13 **Q. That's your handwriting, you gave that to me?**
14 A. I did.
15 **Q. I'll hand you another document, a final one in**
16 **this series. I'll ask you if you can -- nope, next to**
17 **the last.**
18 **What is that one?**
19 A. Okay. What is this? Oh, this is another -- oh
20 this is a trial testimony in the Superior Court of
21 California in a case called Rankin versus Kerston Trust
22 that I was a witness on the stand in 2008 concerning a
23 mycotoxin.
24 **Q. So that's your trial testimony?**
25 A. It is.

134

1 **Q. And was there --**
2 MR. PARRISH: Let's mark that as Exhibit 16.
3 (Deposition Exhibit Number 16 was marked for
4 identification, a copy is attached hereto.)
5 BY MR. PARRISH:
6 **Q. And, again, in that trial were you challenged**
7 **as to the validation of the tests?**
8 A. I was.
9 **Q. And were you allowed to testify?**
10 A. I was.
11 **Q. Okay. And the testimony speaks for itself?**
12 A. It does.
13 **Q. And the judge's ruling is announced from the**
14 **bench there?**
15 A. It is.
16 **Q. I'll hand you now the final and this is**
17 **Volume 24, Number 25, it's a C.L.I.A. document. It says**
18 **evaluation of precision performance and it has some**
19 **physician's names there and I'll ask if you can identify**
20 **that.**
21 MR. PARRISH: We'll mark it as Exhibit 17.
22 (Deposition Exhibit Number 17 was marked for
23 identification, a copy is attached hereto.)
24 THE WITNESS: They're coming fast.
25 This, Mr. --

135

1 BY MR. PARRISH:
2 **Q. Parrish.**
3 A. -- Parrish
4 **Q. I don't want to lead you.**
5 A. Yeah. Don't lead me.
6 This is a document called EP5-A2, which I use
7 as well as other pathologists and other people use in
8 precision performance of validations. And this is put
9 out by the Clinical Laboratory Standard Institute and
10 that's only the first few pages because there's
11 multiple, multiple pages of this, but this is what we
12 use and we cited this in our paper that we published on
13 mycotoxins and we cite that in our validations.
14 **Q. And so you conform to the standards that are**
15 **published in that publication as far as the necessity**
16 **for your work to be validated --**
17 A. That's correct.
18 **Q. -- with the RealTime Lab's testing; is that**
19 **correct?**
20 A. That is correct.
21 **Q. All right. And as far as literature that you**
22 **have relied on to reach the conclusions and to state the**
23 **opinions that you stated concerning Casey Morse, you**
24 **attached some of that literature -- or citations to some**
25 **of that literature to your affidavit; is that correct?**

136

1 A. That is correct.
2 **Q. And what is the state of the literature on the**
3 **subject of mycotoxins mold right now? And I'm talking**
4 **about the scientific literature.**
5 A. In general?
6 **Q. Yes.**
7 A. In general, it's fluid in that it's moving in a
8 direction of showing not only in the peer reviewed
9 literature, but in the courts, that patients are exposed
10 to mold and specifically mycotoxins and that these do
11 cause issues with patients.
12 **Q. Health issues?**
13 A. Health issues in different areas of the body.
14 There have been decisions made in New York state and I
15 cite the Rosatti (phonetic) case recently of clinicians
16 citing their findings in reviewing patients who have
17 mycotoxins and molds.
18 **Q. Let me ask you another question. As far as the**
19 **quantity of literature on this subject, since 2006,**
20 **relative to the amount that was published from 2000 to**
21 **2006 and the amount that has been published since 2006**
22 **to 2012, has it increased, decreased? Is it moving one**
23 **direction? What's --**
24 A. Well, it's definitely increased in the area of
25 demonstrating that these do -- there is causation.

137

<p>1 There's issues with the mycotoxins and mold causing 2 problems. How much? There's so much, that we can't 3 keep up with it.</p> <p>4 Q. Is there --</p> <p>5 A. I can't estimate how much, though.</p> <p>6 Q. Is Pub Med a place that peer reviewed 7 literature is able to be accessed?</p> <p>8 A. Definitely.</p> <p>9 Q. So if one goes on to Pub Med and you put in 10 mycotoxins, is the idea the computer will give you all 11 the literature that's been produced in a certain period 12 of time?</p> <p>13 A. The issue with that is if you put mycotoxins, 14 it will come out mycotoxins in food, mycotoxins in 15 animals, mycotoxin in everything. So if you put 16 mycotoxins in human disease, then it limits it and 17 there's still a lot.</p> <p>18 Q. But that's how one would monitor quantity and 19 quality and look at the review?</p> <p>20 A. That's how I monitor it.</p> <p>21 Q. And what is your practice as far as staying up 22 with the peer reviewed literature on this subject?</p> <p>23 A. There's alerts on Pub Med or there's alerts in 24 Medscape and there's is alerts on all these sites that 25 you can either pay for or you can just hope that they</p> <p style="text-align: right;">138</p>	<p>1 Internet and type in mycotoxins under Google and I can 2 read and read and read all these people who tout that 3 they know a lot about mycotoxins, but they're not peer 4 reviewed.</p> <p>5 So they're giving scientific literature, some 6 of them are M.D.'s who cite this. But if I want to find 7 peer reviewed literature that has gone through the 8 scrutiny any of other individuals looking at this, other 9 M.D.'s, other Ph.D.'s who have looked at this to tell me 10 yes, we believe that this is founded in good science, 11 then I go to Pub Med and look for peer reviewed 12 literature.</p> <p>13 Q. And you bring to reading that literature all of 14 the experience and education and training that you 15 related here today?</p> <p>16 A. Yes.</p> <p>17 Q. There's a sense in which you are much more 18 qualified to read it and assess it than I am?</p> <p>19 MS. ERSOFF: Objection, leading.</p> <p>20 THE WITNESS: I don't want to be insulting.</p> <p>21 BY MR. PARRISH:</p> <p>22 Q. Never mind.</p> <p>23 But --</p> <p>24 A. That's why I underline things for you.</p> <p>25 Q. But it takes a certain amount of skill and</p> <p style="text-align: right;">140</p>
<p>1 alert you. But then also, we -- as a group we 2 participate in different scientific groups that we tell 3 them the site, go find the literature on this. And we 4 get those papers.</p> <p>5 Q. Do you read papers regularly?</p> <p>6 A. Yes.</p> <p>7 Q. Do you feel that you're current on medical 8 literature on this subject?</p> <p>9 MS. ERSOFF: Overbroad, vague and ambiguous.</p> <p>10 THE WITNESS: I hope to be. You never know what's 11 coming out so it's very hard, but you try and keep up, 12 but there's so much.</p> <p>13 BY MR. PARRISH:</p> <p>14 Q. When you say alerts, do you mean your computer 15 is set so if there's literature on a particular subject, 16 it flashes on your computer and you know to go get it?</p> <p>17 A. That's right. And then I have Ph.D. friends 18 who -- and the universities who -- did you see this 19 paper? Did you see this? And usually I've seen them, 20 but --</p> <p>21 Q. All right. What is scientific literature and 22 what is peer reviewed scientific literature?</p> <p>23 A. Somewhat the same, but if I want to find 24 something on mycotoxins and I really want to be able to 25 sound like I'm an authority, I can go right to the</p> <p style="text-align: right;">139</p>	<p>1 knowledge to be able to appreciate what's written in 2 scientific literature?</p> <p>3 MS. ERSOFF: Leading.</p> <p>4 THE WITNESS: Yes.</p> <p>5 BY MR. PARRISH:</p> <p>6 Q. And it's not a Reader's Digest article that's 7 produced for general readers?</p> <p>8 MS. ERSOFF: Leading.</p> <p>9 THE WITNESS: No.</p> <p>10 BY MR. PARRISH:</p> <p>11 Q. Okay. And --</p> <p>12 MS. ERSOFF: Can we go off the record for a second?</p> <p>13 MR. PARRISH: We may.</p> <p>14 THE VIDEOGRAPHER: Off the record. The time is 15 1:12.</p> <p>16 (Lunch recess was taken from 1:12 p.m. to 1:56 p.m.)</p> <p>17 THE VIDEOGRAPHER: We're back on the record. The 18 time is 1:56.</p> <p>19 MR. PARRISH: I have no further questions. I'll 20 pass the witness.</p> <p>21</p> <p>22 EXAMINATION</p> <p>23 BY MS. ERSOFF:</p> <p>24 Q. Good afternoon, Dr. Hooper.</p> <p>25 A. Hello.</p> <p style="text-align: right;">141</p>

1 **Q. I represent the defendants in this case.**
2 **Mr. Parrish didn't go over the admonitions with**
3 **you. I'm sure that you quite familiar with the**
4 **deposition process; correct?**
5 A. I believe so.
6 **Q. Okay. The only thing I ask is that if you**
7 **don't understand a question, let me know and I'll**
8 **rephrase it. Because if you answer my questions, I'll**
9 **assume you that understood what I was asking of you.**
10 **Fair enough?**
11 A. Fair.
12 **Q. Okay. How many times have you been deposed?**
13 A. I would say at least 25.
14 **Q. And of those approximately 25 prior**
15 **depositions, how many were in the context of an expert**
16 **witness?**
17 A. I would say all of them.
18 **Q. Now, we already touched on your professional**
19 **background. With regard to your residency, that was at**
20 **the Naval Hospital in San Diego; correct?**
21 A. Yes.
22 **Q. How long was your residency?**
23 A. From 1984 to 1988.
24 **Q. And did you do a fellowship?**
25 A. No.

142

1 **Q. Do you have any board certifications that are**
2 **recognized by the American Board of Medical Specialties?**
3 A. I did until this year.
4 **Q. Okay. And that's the one that you chose not to**
5 **renew; correct?**
6 A. That is correct.
7 **Q. And do you have any other board certifications?**
8 A. No.
9 **Q. Okay. And you're not board certified in**
10 **internal medicine; correct?**
11 A. No.
12 **Q. Have you ever practiced clinical medicine?**
13 A. In our internship in the Navy we practiced --
14 we do -- we see patients in that one year.
15 **Q. Aside from the medical -- that aspect of your**
16 **medical training, have you ever practiced clinical**
17 **medicine?**
18 A. No.
19 **Q. So is it fair to say that over the past**
20 **30 years, you've never seen patients, evaluated**
21 **patients, performed physical examinations, diagnosed or**
22 **treated them?**
23 A. No, that is not fair to say.
24 **Q. What part of that is not fair?**
25 A. In pathology we do bone marrows, so we see

143

1 patients and we interview them beforehand. We also --
2 if we're going to do fine elapses (phonetic), we discuss
3 things with patients. And other than that, we don't see
4 patients in general.
5 **Q. Doctor, do you recall having your deposition**
6 **taken in the Bailey versus Equity Residential Management**
7 **case on October 4, 2011?**
8 A. Bailey, no, I don't.
9 **Q. Okay. This was a deposition that was taken of**
10 **you in Dallas, Texas, approximately a year ago and you**
11 **were asked the exact same question.**
12 **And the question was: So for over the last**
13 **30 years, you have not been involved in seeing patients,**
14 **evaluating them, doing physical examinations on them,**
15 **diagnosing them and treating them?**
16 **And your answer was: That's correct.**
17 **Is there any reason that your answer to me was**
18 **different here today than the answer that you gave under**
19 **penalty of perjury one year ago?**
20 A. The reason is because of the fact that the
21 pathologists do -- at that time I probably didn't think
22 about talking with patients about bone marrows and
23 finding elapses (phonetic), but that's the only reason.
24 **Q. What states do you have a current active**
25 **license to practice medicine in?**

144

1 A. In California and Texas.
2 **Q. Do you have any specialized education, training**
3 **and experience in allergy and immunology?**
4 A. Would you clarify what you mean by
5 "immunology."
6 **Q. What's your definition of immunology?**
7 A. Immunology is the study of lymphocytes, the
8 reaction to cells in patients who have allergens or
9 antigens touching those cells, and then immunology is
10 the study of those. So we -- in the laboratory we have
11 an immunology lab that we do immunology tests.
12 **Q. And is it fair to say that board certification**
13 **in allergy and immunology go hand in hand?**
14 A. If you're going to see patients. If you're
15 going to work in pathology, you have -- you're
16 covering immunology in your pathology residency and
17 training.
18 **Q. Did you do a residency in allergy and**
19 **immunology?**
20 A. No.
21 **Q. Are you board certified in allergy and**
22 **immunology?**
23 A. No.
24 **Q. Are you board eligible in allergy and**
25 **immunology?**

145

<p>1 A. No.</p> <p>2 Q. Aside from the generalized training you</p> <p>3 received in medical school in allergy and immunology, do</p> <p>4 you have any specialized education, training and</p> <p>5 experience in allergy and immunology?</p> <p>6 A. Other than the fact of evaluating cells for the</p> <p>7 immunology patients, no.</p> <p>8 Q. Do you have any specialized education, training</p> <p>9 or experience in pulmonology?</p> <p>10 A. No.</p> <p>11 Q. Are you board certified in pulmonology?</p> <p>12 A. No.</p> <p>13 Q. Are you board eligible in pulmonology?</p> <p>14 A. No.</p> <p>15 Q. Do you have any specialized education, training</p> <p>16 or experience in occupational medicine?</p> <p>17 A. No.</p> <p>18 Q. Are you board certified in occupational</p> <p>19 medicine?</p> <p>20 A. No.</p> <p>21 Q. Are you board eligible in occupational</p> <p>22 medicine?</p> <p>23 A. No.</p> <p>24 Q. Do you have any specialized education, training</p> <p>25 and experience in infectious disease?</p> <p style="text-align: right;">146</p>	<p>1 A. Yes.</p> <p>2 Q. Have you ever been designated as an expert</p> <p>3 specifically in the field of mycology?</p> <p>4 A. No.</p> <p>5 Q. Do you have any specialized education, training</p> <p>6 or experience in epidemiology?</p> <p>7 A. No.</p> <p>8 Q. Do you have any specialized education, training</p> <p>9 or experience in neurology?</p> <p>10 A. No.</p> <p>11 Q. Are you board certified in neurology?</p> <p>12 A. No.</p> <p>13 Q. Are you board eligible in neurology?</p> <p>14 A. No.</p> <p>15 Q. Do you have any specialized education, training</p> <p>16 or experience in otolaryngology?</p> <p>17 A. No.</p> <p>18 Q. And you're not board certified in</p> <p>19 otolaryngology?</p> <p>20 A. No. Correct.</p> <p>21 Q. Not board eligible?</p> <p>22 A. I'm not board eligible.</p> <p>23 Q. Do you have any specialized education, training</p> <p>24 or experience in how mold assessments are performed?</p> <p>25 A. Would you clarify that question further.</p> <p style="text-align: right;">148</p>
<p>1 A. No.</p> <p>2 Q. Are you board certified in infectious disease?</p> <p>3 A. No.</p> <p>4 Q. Are you board eligible in infectious disease?</p> <p>5 A. No.</p> <p>6 Q. Do you have specialized education, training or</p> <p>7 experience in toxicology?</p> <p>8 A. Other than what a pathologist learns in running</p> <p>9 the toxicology lab, no.</p> <p>10 Q. Did you do a residency in toxicology?</p> <p>11 A. No.</p> <p>12 Q. Are you board certified in toxicology?</p> <p>13 A. No.</p> <p>14 Q. Are you board eligible in toxicology?</p> <p>15 A. No.</p> <p>16 Q. Do you have any specialized education, training</p> <p>17 or experience in mycology?</p> <p>18 A. Yes.</p> <p>19 Q. And what would that be?</p> <p>20 A. I'm a Ph.D. in microbiology that covers the</p> <p>21 areas of fungus and mold.</p> <p>22 Q. Do you consider yourself to be a</p> <p>23 microbiologist?</p> <p>24 A. Yes.</p> <p>25 Q. Do you consider yourself to be a mycologist?</p> <p style="text-align: right;">147</p>	<p>1 Q. You're not a trained certified industrial</p> <p>2 hygienist, are you?</p> <p>3 A. No.</p> <p>4 Q. You are -- do you have any specialized</p> <p>5 education, training and experience in the field of</p> <p>6 industrial hygiene?</p> <p>7 A. No.</p> <p>8 Q. Have you ever personally conducted any tests on</p> <p>9 indoor sampling for the presence of toxins or mold?</p> <p>10 A. Yes.</p> <p>11 Q. Can you describe for me your experience in</p> <p>12 conducting tests on indoor sampling for the presence of</p> <p>13 toxins or mold.</p> <p>14 A. There are many ways to do it that I have</p> <p>15 evaluated. I've used a Redcon 3500 which is a vacuum</p> <p>16 that has little water cupules in it and it's approved by</p> <p>17 the E.P.A. to look at so many liters of air that filters</p> <p>18 through this machine, and then we get 9 C.C.'s of water</p> <p>19 and we can culture it or we can do mycotoxins on it or</p> <p>20 we can do evaluations of special stains.</p> <p>21 The other thing is a spore trap that I have --</p> <p>22 I learned to use because the C.I.H.'s use which are</p> <p>23 those Certified Industrial Hygienists, and then we have</p> <p>24 done tape lifts that I have looked at with special</p> <p>25 stains and with just doing the tape lifts and looking at</p> <p style="text-align: right;">149</p>

1 them under the microscope and cultures.
2 **Q. On how many occasions have you performed air**
3 **sampling in an indoor environment?**
4 A. Oh, I don't know. More than 10, less than 20.
5 **Q. And in what context did you perform air**
6 **sampling?**
7 A. In looking at -- I went to a government
8 building for one and we sampled approximately 20 rooms
9 in a building in Sante Fe. And then we've looked at
10 homes, we've looked at buildings and we've done those
11 exact tests that I mentioned. And then we also have
12 since that time evaluated the filters on houses to look
13 at mycotoxins on the air exchange filters.
14 **Q. When you say "we've looked at," do you mean you**
15 **specifically performed the environmental assessment of**
16 **the premises that you're describing, or are you saying**
17 **we, other people did it?**
18 A. I go with the C.I.H.'s and look at them. And I
19 can -- on occasion I have done them myself.
20 **Q. On how many occasions have you personally by**
21 **yourself performed indoor air quality assessments of an**
22 **indoor environment?**
23 A. 10, less than 20.
24 **Q. Were you hired as an indoor air quality**
25 **assessor to perform this analysis?**

150

1 A. No.
2 **Q. Have you ever been hired as an indoor air**
3 **quality assessor to perform that type of analysis?**
4 A. No, not every state has them.
5 MS. ERSOFF: Move to strike as nonresponsive
6 everything starting with "not every state."
7 BY MS. ERSOFF:
8 **Q. What formal education and training -- strike**
9 **that.**
10 **How many cases have you been hired as an expert**
11 **in that relate that mold bodily injury claims?**
12 A. I don't have an exact number. I've listed them
13 on my -- on the sheet that I gave in my affidavit.
14 **Q. Okay. I'm entitled to your best estimate, so**
15 **can you provide me with your best estimate as to the**
16 **number of mold bodily injury cases in which you've been**
17 **retained as an expert.**
18 A. In what time frame?
19 **Q. Over the course of your career.**
20 A. I'd say 20.
21 **Q. And of those approximate 20 cases that you've**
22 **been hired as an expert, how many of those cases were**
23 **you hired to evaluate the plaintiff versus hired on**
24 **behalf of the defense?**
25 A. Two.

151

1 **Q. So you have only been hired by the plaintiff on**
2 **two cases?**
3 A. No. I'm sorry. The defendant two, the
4 plaintiff the rest.
5 **Q. When was the last time you were hired on behalf**
6 **of a defendant in a mold bodily injury case?**
7 A. It was in the -- I don't recall exactly, but I
8 think it was in the early 2000s.
9 **Q. Do you recall either of the names of the cases**
10 **in which you were hired on behalf of the defendants?**
11 A. No.
12 **Q. Do you recall either of the names of the**
13 **lawyers who hired you on behalf of defendants in those**
14 **two cases?**
15 A. No.
16 **Q. Do you recall the jurisdictions in which those**
17 **cases were venued?**
18 A. One of them was in Orange County here in
19 California. That's the only thing I can remember.
20 **Q. What percentage of your medical practice**
21 **involves medical/legal claims?**
22 A. Maybe 5 percent.
23 **Q. And of that 5 percent, what percentage of those**
24 **claims relate to claims of toxic exposure?**
25 A. All of them.

152

1 **Q. Of that 5 percent, what percentage of those**
2 **claims relate to mold exposure?**
3 A. All of them.
4 **Q. How many times have you testified at trial?**
5 A. Two. Twice.
6 **Q. And can you tell me approximately the date of**
7 **each time you testified at trial?**
8 A. You have the one and I can't remember it, but
9 they're -- the first one was in 1998 in San Bernardino.
10 **Q. And do you recall the name of the case?**
11 A. No, I do not.
12 **Q. Do you recall the name of the lawyer who hired**
13 **you?**
14 A. No.
15 **Q. Do you know the outcome of that case?**
16 A. No.
17 **Q. And what was the name of the second case that**
18 **you said I have the one?**
19 A. If you show me my deposition -- or my
20 affidavit, maybe I can -- it's the one in Marin County.
21 I think it's in Marin County.
22 **Q. And who hired you in that case?**
23 A. It's a female attorney and she had a partner,
24 but it's -- it's in there. I'm sorry. I can't
25 remember.

153

1 **Q. Approximately when did you testify at trial in**
2 **that case?**
3 A. I think it was 2006. These are all guesses.
4 **Q. Do you know -- you mean your best estimate?**
5 A. Okay. My best estimate.
6 **Q. Okay. You understand the difference between a**
7 **guess and an estimate; correct?**
8 A. No. You'd have to explain that to me.
9 **Q. Okay. Because I am entitled to your best**
10 **estimate. I do not ever want you to guess. So if you**
11 **have an independent basis upon which to give me an**
12 **answer, that would be an estimate.**
13 For example, if I asked you to estimate the
14 length of this table, you may not be good at giving me
15 approximations, but you have something to base it on
16 since you're sitting here. If I asked you to estimate
17 the length of my dining room table, that would be a
18 guess, because you have no -- you've never seen it, you
19 have no idea if it's round, square or rectangle.
20 A. Okay.
21 **Q. That's the difference.**
22 A. Okay. Thank you.
23 **Q. The case in 2006, do you know the outcome of**
24 **that trial?**
25 A. Yes. The jury decided in the favor of the

154

1 plaintiff.
2 **Q. Have you ever worked with Mr. Parrish before?**
3 A. Before this case?
4 **Q. Before this case.**
5 A. Yes.
6 **Q. On how many occasions?**
7 A. At least one other one that I can remember.
8 Cindy Hunter case.
9 **Q. Have you ever worked with Casey Morse's father**
10 **before?**
11 A. No. There have been others that I just
12 remembered. I don't remember the names of the patients
13 or the cases, but there have been others I've worked
14 with Mr. Parrish.
15 **Q. Approximately how many others?**
16 A. I think -- I believe two more.
17 **Q. And are all of those cases resolved? Are they**
18 **still ongoing?**
19 A. I don't know.
20 **Q. How much do you charge per hour for deposition**
21 **testimony?**
22 A. \$450 an hour.
23 **Q. How much do you charge per hour for trial**
24 **testimony?**
25 A. \$450 an hour.

155

1 **Q. Have you been paid to date for all your work on**
2 **this case?**
3 A. I have.
4 **Q. And who paid you?**
5 A. Mr. Morse.
6 **Q. How much have you charged to date in this case?**
7 A. I charged -- to write the affidavit, it was
8 2250, \$2,500, and for the retainer was 5,000 and then
9 for this deposition in preparation, 3,600.
10 **Q. Can you tell me approximately how many hours**
11 **you've spent on this case to date?**
12 A. I believe about 18 to 20.
13 **Q. And can you tell me approximately how much time**
14 **you expect to spend on this case from now until trial?**
15 A. You're asking me to guess.
16 **Q. You don't have an estimate?**
17 A. Because I have no idea what you and Mr. Parrish
18 are going to do.
19 **Q. Okay. Have you ever been qualified by the**
20 **court as an expert?**
21 A. No.
22 **Q. Has your testimony ever been limited in any way**
23 **by the court?**
24 A. No.
25 **Q. How many mycotoxin mold panel tests do you**

156

1 **estimate that you do per year?**
2 A. Do you want -- in 2012 or 2011 or 2010?
3 **Q. Okay. Let's start with 2012. 2012?**
4 A. 2012 we've done approximately 4,000 panels this
5 year up to November 1.
6 **Q. And how about in 2011?**
7 A. I don't know.
8 **Q. What's your best estimate?**
9 A. I can't. That's guessing. I can't estimate.
10 **Q. You have no --**
11 A. I don't have those papers in front of me to say
12 and it would be a guess if you push me to do that.
13 MS. ERSOFF: What exhibit?
14 THE REPORTER: We're on 18.
15 MS. ERSOFF: I'm going to attach as Exhibit 18 to
16 the deposition plaintiff's second amended designation of
17 expert witness.
18 (Deposition Exhibit Number 18 was marked for
19 identification, a copy is attached hereto.)
20 BY MS. ERSOFF:
21 **Q. Can you please a look at that.**
22 A. (Examining document.)
23 **Q. Dr. Hooper, have you seen this before?**
24 A. No.
25 **Q. Okay.**

157

1 A. Oh, I don't -- no, I have not seen this.
 2 **Q. Can you turn to Page 6.**
 3 A. (Witness complies.)
 4 **Q. Do you see where it says your name**
 5 **Dennis Hooper?**
 6 A. Yes.
 7 **Q. Okay. Can you turn to Page 7.**
 8 A. (Witness complies.)
 9 **Q. Under Paragraph B it says, "Dr. Hooper is the**
 10 **physician engaged as the pathologist to examine urine**
 11 **from plaintiff's body by laboratory methods and**
 12 **discovered in the fluids what Dr. Hooper will testify to**
 13 **be mycotoxins. In addition, Dr. Hooper will state**
 14 **expert opinions concerning the source and effect of the**
 15 **identified mycotoxins on the present health of**
 16 **plaintiff, the medical prognosis for plaintiff's health,**
 17 **and opine that the sickness plaintiff now suffers is**
 18 **caused by toxic mold at Broadcast Center Apartments."**
 19 **Do you see that?**
 20 A. I do.
 21 **Q. Is this an accurate description of the scope of**
 22 **the expert testimony that you intend on rendering at the**
 23 **trial in this case?**
 24 A. Yes.
 25 **Q. Let's break this down. The first sentence**

158

1 says -- actually, the second sentence says, "Dr. Hooper
 2 will state expert opinions concerning the source and
 3 effect of identified mycotoxins on the present health of
 4 plaintiff."
 5 **And these are opinions that you intend on**
 6 **rendering; correct?**
 7 A. That is correct.
 8 **Q. The second part is that you intend on stating**
 9 **expert opinions concerning the medical prognosis for**
 10 **plaintiff's health.**
 11 **Do you intend on providing expert opinion**
 12 **concerning Casey Morse's medical prognosis for her**
 13 **health?**
 14 A. Yes.
 15 **Q. Okay. And then it says, "And opine that the**
 16 **sickness plaintiff now suffers is caused by toxic mold**
 17 **at Broadcast Center Apartments."**
 18 **Will you also be opining that the sickness that**
 19 **Casey Morse suffers was caused by toxic mold at**
 20 **Broadcast Center?**
 21 A. Yes.
 22 **Q. Okay.**
 23 A. Do you want this back (indicating)? I'll keep
 24 it over here (indicating).
 25 MS. ERSOFF: Keep it right there for now.

159

1 I'm going to identify as Exhibit 19, the notice
 2 of taking of deposition of plaintiff's expert
 3 Dennis Hooper, M.D. and production of documents thereat.
 4 And I'll just briefly go over this.
 5 (Deposition Exhibit Number 19 was marked for
 6 identification, a copy is attached hereto.)
 7 BY MS. ERSOFF:
 8 **Q. And you -- have you seen a copy of this before?**
 9 A. Yes, I have.
 10 **Q. Okay. Can you turn to Page -- the second page**
 11 **which is Exhibit A.**
 12 **Did you review the documents to be produced**
 13 **which is Exhibit A to the deposition notice?**
 14 A. I did.
 15 **Q. Have you brought with you all documents that**
 16 **you have in your possession, custody or control**
 17 **responsive to this document demand?**
 18 A. I brought the ones I can bring.
 19 **Q. Okay. With regard to Number 1, it asks for all**
 20 **documents that have been provided to you by plaintiff,**
 21 **plaintiff's representatives or plaintiff's attorneys.**
 22 **Have you brought all documents responsive to**
 23 **this request?**
 24 A. Yes. Mr. Parrish had those in his exhibits.
 25 **Q. Correct.**

160

1 **And any documents that I've been given, I'm**
 2 **assuming they were either produced by you or Mr. Parrish**
 3 **on your behalf?**
 4 A. You're correct, yes.
 5 **Q. Number 2 asks for all documents that you**
 6 **reviewed, consulted or relied upon in forming an opinion**
 7 **concerning any issue in this action.**
 8 **Did you bring all such documents here with you?**
 9 A. Yes. Or Mr. Parrish did, yes.
 10 **Q. Okay. And when I say you, I mean collectively**
 11 **you or Mr. Parrish.**
 12 A. Okay.
 13 **Q. Number 3 asked for all documents that evidence,**
 14 **reflect or refer to any opinions formed by you or any**
 15 **other witness or consultant concerning any issue in this**
 16 **action or the basis for any such opinion.**
 17 **Did you bring those documents?**
 18 A. Yes, I did.
 19 **Q. Number 4, any documents prepared by you in**
 20 **connection with the performance of your duties as a**
 21 **consultant or expert in this action.**
 22 **Did you bring those documents?**
 23 A. Yes, I did.
 24 **Q. Number 5 asks for documents regarding**
 25 **communications between you and any other person**

161

1 regarding this action.
2 **Did you bring those documents?**
3 A. I didn't have any other than what he has.
4 **Q. Number 6 relates to a contractor engagement**
5 **letter and I believe one was already marked for the**
6 **record; correct?**
7 A. Correct.
8 **Q. Number 7 asks for time and billing records.**
9 **Did you bring those with you?**
10 A. There's only one that I have so far, and that
11 is for the invoice that -- for writing the affidavit.
12 MR. PARRISH: We're going to mark the invoice as
13 Exhibit Number 20.
14 (Deposition Exhibit Number 20 was marked for
15 identification, a copy is attached hereto.)
16 BY MS. ERSOFF:
17 **Q. Now, you just referred to a binder. Can you**
18 **tell me what's in that binder.**
19 A. Yeah. It's my -- it's the paper that we gave
20 as an exhibit and then -- for the L.A. Times, my legal
21 fee schedule that I don't know if -- I think that was in
22 my affidavit.
23 **Q I'm not sure it is.**
24 MS. ERSOFF: So we're going to mark that as 21.
25 (Deposition Exhibit Number 21 was marked for

162

1 identification, a copy is attached hereto.)
2 THE WITNESS: My C.V.
3 BY MS. ERSOFF:
4 **Q. I have that.**
5 A. Your notice of deposition.
6 **Q. Okay.**
7 A. And my affidavit.
8 **Q. Okay. Anything else?**
9 A. And then I have one paper in here that I read
10 on the plane, Correlation between the prevalence of
11 certain fungi and sick building syndrome that I don't
12 think Mr. Parrish has.
13 MS. ERSOFF: We'll mark that as Exhibit 22. Thank
14 you.
15 (Deposition Exhibit Number 22 was marked for
16 identification, a copy is attached hereto.)
17 BY MS. ERSOFF:
18 **Q. Are there any other documents that you have in**
19 **your bag --**
20 A. Bag of tricks here?
21 **Q. Yes.**
22 A. I have something about somebody asking for
23 records and I think it's on Casey Morse. It was a fax
24 Beck 'N Call, I don't know who they are, but I didn't
25 know if it was -- it was in her file at my office and I

163

1 have that, but it didn't say anything about who.
2 Wood, Smith, Henning and Berman. Is that you?
3 **Q. That is part of me.**
4 A. Well, that's who asked for those papers, so
5 that's --
6 MS. ERSOFF: Okay. We'll mark this group
7 collectively as Exhibit 23. Thank you.
8 (Deposition Exhibit Number 23 was marked for
9 identification, a copy is attached hereto.)
10 THE WITNESS: I have a copy of that, so --
11 And then my deposition, hearings and trial
12 testimony that's in my affidavit, which you probably
13 have seen.
14 MS. ERSOFF: I've seen that. Thank you.
15 THE WITNESS: That's it. Not much left.
16 BY MS. ERSOFF:
17 **Q. Number 18 asks for all transcripts of testimony**
18 **written or oral given by you under oath in any**
19 **proceeding other than your own personal injury or**
20 **domestic relations matters.**
21 A. Everything I have I've given to Mr. Parrish.
22 **Q. And has been produced here today?**
23 A. That is correct.
24 **Q. Okay. Number 19 asks for all documents**
25 **authored by you in your professional expertise.**

164

1 **Have you produced all such documents here**
2 **today?**
3 A. Yes.
4 **Q. And you've provided the current list of**
5 **lawsuits in which you've testified, correct, Number 24?**
6 A. That is correct. That's in the affidavit.
7 **Q. Number 37 asks for all x-rays, laboratory**
8 **reports, office notes, records, signed reports, test**
9 **reports and documentation that have been prepared by you**
10 **or other subspecialists who have examined and/or treated**
11 **and/or tested the plaintiff.**
12 **Did you bring all such records with you today?**
13 A. The only things we have are the labs report,
14 but yes.
15 **Q. So you brought everything that you have here**
16 **today?**
17 A. That's true.
18 **Q. Okay. Number 49 asks for logs showing the**
19 **receipt of samples including plaintiff Casey Morse's**
20 **sample, E.G. date and any and all notations as to the**
21 **number of tubes, nature of tubes and the amount of urine**
22 **received or saliva received.**
23 **Did you bring those logs here with you today?**
24 A. No.
25 **Q. Why not?**

165

<p>1 A. They're not mine to bring. They are owned and 2 controlled by RealTime Labs. 3 THE VIDEOGRAPHER: Would this be a good point to 4 change disks? 5 MS. ERSOFF: Okay. Go ahead. 6 THE VIDEOGRAPHER: This is the end of disk Number 2. 7 The time is 2:27 and we are off the record. 8 (Recess was taken from 2:27 p.m. to 2:38 p.m.) 9 THE VIDEOGRAPHER: We are back on the record. This 10 is the beginning of disk Number 3. The time is 2:38. 11 BY MS. ERSOFF: 12 Q. Okay. Dr. Hooper, with regard to request for 13 production Number 49, you testified before we went off 14 the record that you did not bring the log sheets 15 demonstrating receipt of Casey Morse's urine samples 16 because they belong to RealTime Laboratories; correct? 17 A. That is correct. 18 Q. You would have the ability to make Xerox copies 19 of those log sheets so that you can comply with this 20 document demand; correct? 21 A. No. 22 Q. Did you bring with you logs for performance of 23 each test done on Casey Morse with the dates of 24 performance and the name or initials of the technician 25 performing the test pursuant to demand production</p> <p style="text-align: right;">166</p>	<p>1 samples as well as the standard curve for each 2 E.L.I.S.A., the normal day control and the calibers for 3 the assay in which Casey Morse's sample was run. 4 Did you bring the documentation requested in 5 Number 53? 6 A. No. 7 Q. Number 54 asks for the RealTime procedure books 8 detailing the protocol for the performance of each test 9 list -- for the technologist to follow when performing 10 each test on Casey Morse's urine. 11 Did you bring these procedure books? 12 A. No. 13 Q. Okay. 55 asks for the RealTime procedure books 14 showing the technologist's notations about actually 15 performing the tests involving the specimens derived 16 from Casey Morse. 17 Did you bring that documentation? 18 A. No. 19 Q. 56 asks for the production of the RealTime 20 in-house tests, the source of the reagents used in 21 testing Casey Morse's urine including the border sheets, 22 lot numbers and specification sheets that arrived with 23 all materials used in the testing of Casey Morse's 24 samples and the medications by E.L.I.S.A. 25 Did you bring those?</p> <p style="text-align: right;">168</p>
<p>1 Number 50? 2 A. No. 3 Q. And is it your position that you didn't bring 4 those because they belong to the lab for which you are 5 the director of? 6 A. I am the director as a contractor. 7 Q. Right. And Number 50 -- 51 asks for employee 8 time sheets for the staff who performed the testing for 9 the period of January 1st, 2011 through January 31st, 10 2011. 11 Did you bring the documents requested in 12 Number 51? 13 A. No. 14 Q. 52 asks for the original raw data generated 15 from each test involving Casey Morse's materials. This 16 means the actual machine printout of numbers E.G. for 17 the E.L.I.S.A. testing, that will be optimal density 18 readouts. 19 Did you bring these documents with you here 20 today? 21 A. No. 22 Q. 53 asks for E.L.I.S.A. solid phase or liquid 23 phase, immunoassay testing, E.G. antibodies to fungi and 24 toxins, et cetera. This means the original E.L.I.S.A. 25 printout showing optical density for Casey Morse's</p> <p style="text-align: right;">167</p>	<p>1 A. No. 2 Q. 57 asks for the source of the mycotoxin 3 antibodies used in immunoassay tests including the order 4 sheets, lot numbers, specification sheets that arrived 5 with all materials used in the testing of Casey Morse's 6 materials. 7 Did you bring those documents? 8 A. No. 9 Q. 58 asks for the nature and source of the 10 antibody used as the detector for the E.L.I.S.A. testing 11 including the order sheets, lot numbers, specification 12 sheets that arrived with all materials used in the 13 testing of Casey Morse's urine. 14 Did you bring those documents? 15 A. No. 16 Q. 59 asks for the RealTime in-house tests, any 17 and all data generated showing the specificity controls 18 for the antigens employed and the immunoassays that were 19 employed for Casey Morse's urine. 20 Did you bring this documentation? 21 A. No. 22 Q. 60 asks for any reagents prepared in-house by 23 RealTime used in testing Casey Morse's urine, the 24 original dated notes showing the preparation and 25 subsequent data, showing the analysis of the composition</p> <p style="text-align: right;">169</p>

<p>1 of this derived material.</p> <p>2 Did you bring this documentation?</p> <p>3 A. No.</p> <p>4 Q. 61 asks for the original data that was employed</p> <p>5 to generate any and all reference ranges for each test</p> <p>6 performed on Casey Morse's sample, including any and all</p> <p>7 data, evidence showing the raw data.</p> <p>8 Did you bring this documentation?</p> <p>9 A. No.</p> <p>10 Q. And 62 asks for the documents showing the</p> <p>11 origin of any positive reference or positive control</p> <p>12 serum used in immunoassays performed on Casey Morse's</p> <p>13 urine.</p> <p>14 Did you bring that documentation?</p> <p>15 A. No.</p> <p>16 Q. 63 asks for the names, serial numbers and</p> <p>17 service records for the equipment used in the analysis</p> <p>18 of Casey Morse's samples.</p> <p>19 Did you bring that documentation?</p> <p>20 A. No.</p> <p>21 Q. 64 asks for all any and all state inspection</p> <p>22 reports or CLIA recertification materials for any lab</p> <p>23 owned or run by you within the past ten years.</p> <p>24 Did you bring that documentation?</p> <p>25 A. No.</p> <p style="text-align: right;">170</p>	<p>1 A. I -- I specifically wanted to give you one and</p> <p>2 I sent it by E-mail to you early this morning or -- it</p> <p>3 was 248 pages, and it's from the World Health -- oh, I</p> <p>4 didn't want to print all that out and carry it to my --</p> <p>5 on my suit case, but this is from the World Health</p> <p>6 Organization and it's a representation of issues that</p> <p>7 involve dampness and mold and it's a guide for indoor</p> <p>8 air quality.</p> <p>9 And it talks -- and it gives explicit studies</p> <p>10 that talk about how patient -- or how exposures occur</p> <p>11 and what the mycotoxins are and what they do to</p> <p>12 patients.</p> <p>13 There's another study I gave that is by Eduard</p> <p>14 -- I think is how you say his last name -- from Sweden</p> <p>15 and it's present in one of these documents Mr. Parrish</p> <p>16 has that I think -- or has given that.</p> <p>17 Q. Let's just focus on the World Health</p> <p>18 Organization's article entitled "Dampness and mold"</p> <p>19 which we've identified for the record.</p> <p>20 First of all, how did you get my E-mail</p> <p>21 address?</p> <p>22 A. Mr. Parrish gave it to me.</p> <p>23 Q. That's why you E-mailed me --</p> <p>24 A. Yeah. Because I didn't know how to get it to</p> <p>25 you. And I apologize for clogging your E-mail.</p> <p style="text-align: right;">172</p>
<p>1 Q. 68 asks for any and all literature that</p> <p>2 supports your contention that the testing performed by</p> <p>3 you indicates a causal relationship to any mold exposure</p> <p>4 that Casey Morse may have received from 2007 to 2009.</p> <p>5 Did you bring that documentation with you?</p> <p>6 A. Yes. And it's been given to you through</p> <p>7 exhibits.</p> <p>8 Q. Can you tell me which of the exhibits</p> <p>9 specifically found a causal connection between exposure</p> <p>10 to mold or mycotoxins and the type of injuries that</p> <p>11 Casey Morse is complaining of in this case.</p> <p>12 A. The documents I gave do not indicate -- they</p> <p>13 don't sit there and say -- or they're not present to say</p> <p>14 Casey Morse's urine and her condition is the causation.</p> <p>15 The documents I gave and the documents that</p> <p>16 were given to me by the result of the environmental</p> <p>17 studies and the results from RealTime Lab are all</p> <p>18 brought together with my expertise to write a causation</p> <p>19 affidavit.</p> <p>20 Q. You produced in the documents that I have in</p> <p>21 the front of me several articles; correct?</p> <p>22 A. Correct.</p> <p>23 Q. Can you tell me which of these articles found a</p> <p>24 causal connection between exposure to mycotoxins and</p> <p>25 human health effects?</p> <p style="text-align: right;">171</p>	<p>1 Q. That's okay. I'm familiar with this article.</p> <p>2 Can you specifically tell me where in this</p> <p>3 lengthy article it states that the World Health</p> <p>4 Organization has found a causal connection between</p> <p>5 exposure to mold and/or mycotoxins and human health</p> <p>6 effects.</p> <p>7 A. On Chapter 4, Page 63, the health effects</p> <p>8 associated with dampness and mold, it goes into specific</p> <p>9 databases obtained from Pub Med searched up to July 2007</p> <p>10 is what it states. And for inclusion, they said a study</p> <p>11 had to meet the following criteria and they met all this</p> <p>12 criteria that they cited. The citations are publication</p> <p>13 in a peer review journal. It had to be reporting of</p> <p>14 original data from a study that was either an</p> <p>15 experimental intervention, a prospective cohort, a</p> <p>16 retrospective cohort or case control. Then the next</p> <p>17 bullet is no minimum study size, so it could be small,</p> <p>18 it could be large.</p> <p>19 It included risk factors related to dampness.</p> <p>20 It included upper respiratory tract symptoms. And the</p> <p>21 last bullet it provided adequate control through the</p> <p>22 study design and the analytical strategies on selected</p> <p>23 bias and confounding of key variables.</p> <p>24 Those are the criteria that I looked for. And</p> <p>25 throughout this there is on Page 67, Table 5, findings</p> <p style="text-align: right;">173</p>

<p>1 of the review of the Institute of Medicine in 2004. 2 And I need to clarify what the Institute of 3 Medicine paper of 2004 did. It cited certain papers 4 that said nothing about causation. And when this 5 World Health Organization reviewed 45 other papers that 6 I.O.M. cited, they said there is sufficient evidence of 7 a causal association. They said, exposure to damp 8 indoor environments, no outcomes met this definition by 9 the I.O.M. 2004.</p> <p>10 Q. Which page are you on? 11 A. I'm on Page 67.</p> <p>12 Q. Okay. 13 A. And there were a number of papers that -- there 14 were only four papers that the I.O.M. cited on causation 15 that said there is no causation. However, in this 16 World Health Organization paper -- and I can't remember 17 where it is, but they cited 45 other papers that said 18 there is a causation. And I'll have to find that and 19 I'll bring it when we come to the next --</p> <p>20 Q. Doctor, isn't it true that the World Health 21 Organization in this paper agreed with the conclusion 22 set forth in the Institute of Medicine damp indoor 23 spaces? 24 A. No, it is not true.</p> <p>25 Q. Okay. Can you please turn to Page 77 and I'd</p> <p style="text-align: right;">174</p>	<p>1 A. It's taken out of context. 2 Q. Can you cite anywhere in the World Health 3 Organization article where they stated to the contrary, 4 that they have found evidence, conclusive evidence of a 5 causal connection between mycotoxins and human adverse 6 health symptoms? 7 A. They cite the Fisk papers and the Fisk papers 8 are specific in talking about causation. 9 MS. ERSOFF: Move to strike as nonresponsive. 10 Can you read my question back, please. 11 (The Reporter read the requested portion of the 12 testimony as follows:) 13 Q. Can you cite anywhere in the World Health 14 Organization article where they stated to the 15 contrary, that they have found evidence, conclusive 16 evidence of a causal connection between mycotoxins 17 and human adverse health symptoms? 18 MS. ERSOFF: It's mycotoxins, M-Y-C-O-T-O-X-I-N-S. 19 not microtoxins. Thank you. 20 BY MS. ERSOFF: 21 Q. Okay. You can answer. 22 A. And what am I supposed to answer? That 23 question? 24 Q. Yes. 25 A. Okay. So, now, let's go back to this paragraph</p> <p style="text-align: right;">176</p>
<p>1 like to draw your attention to Section 4.1.5 which is 2 conclusions. 3 Do you see that? 4 A. Yes.</p> <p>5 Q. Now, turn to Page 78. And I would like you to 6 read the first sentence up through the word "reviewed" 7 into the record. 8 A. Would you tell me where this is again? 9 Q. Do you see the first full paragraph, "In 10 agreement with the Institute of Medicine"? 11 A. I think this whole -- this whole conclusion 12 needs to be read. 13 Q. Okay. I'm going to do it for you, then. Okay? 14 A. Fine.</p> <p>15 Q. Okay. "In agreement with the Institute of 16 Medicine," in parenthesis, "(2004), we consider that 17 there is insufficient evidence of a causal relationship 18 with any of the health outcomes reviewed." 19 And they also said, in concluding, "Thus, 20 although it is plausible that heavy exposure to indoor 21 mould or other microbial agents plays a causal role, 22 this has not been established conclusively." 23 Do you see that? 24 A. I see that. 25 Q. Okay. Can you --</p> <p style="text-align: right;">175</p>	<p>1 that you went over and let me read the conclusions on 2 Page 77. 3 Starting with the middle 4.1.5 conclusions: 4 Our review of the epidemiological evidence presented in 5 this report, the previous report (sic) by the 6 Institute of Medicine and the quantitative meta-analysis 7 of Fisk, Lei-Gomez and Mendell of 2007, lead us to 8 conclude that there is sufficient evidence of an 9 association between indoor dampness-related factors -- 10 which they cite in this paper as mycotoxins -- and a 11 wide range of respiratory health effects, Table 8, 12 including asthma development, asthma exacerbation, 13 current asthma, respiratory infections, upper 14 respiratory tract symptoms, cough, wheeze and dyspnoea. 15 Q. Doctor, you understand that an association does 16 not equal causal connection, don't you? 17 A. No, I do not. 18 MS. ERSOFF: Okay. I'm going to go grab the 19 Institute of Medicine, Damp Indoor Spaces and Health. 20 I'll be right back. 21 THE WITNESS: Are we off the record? 22 MS. ERSOFF: Yes. 23 THE VIDEOGRAPHER: Off the record. The time is 24 2:54. 25 (A recess was taken from 2:54 p.m. to 2:56 p.m.)</p> <p style="text-align: right;">177</p>

<p>1 THE VIDEOGRAPHER: We're back in record. The time 2 is 2:56.</p> <p>3 MS. ERSOFF: Doctor, I'm going to copy as next in 4 order the cover page of the Institute of Medicine's, 5 Damp Indoor Spaces and Health and Table 5.12 and 6 Table 5.13 as next in order. 7 (Deposition Exhibit Number 24 was marked for 8 identification, a copy is attached hereto.) 9 BY MS. ERSOFF:</p> <p>10 Q. Are you familiar with the book published by the 11 Institute of Medicine called Damp Indoor Spaces and 12 Health?</p> <p>13 A. I'm familiar with that.</p> <p>14 Q. Okay. Have you reviewed Table 5-12 which is 15 the Institute of Medicine's summary of findings 16 regarding the association between health outcomes and 17 exposure to damp indoor environments?</p> <p>18 A. I'd have to see that before I can answer that.</p> <p>19 Q. Okay. And then I'd like you to look at the 20 next page which is Table 5-13, which is the summary of 21 findings regarding the association between health 22 outcomes and the presence of mold or other agents in 23 damp indoor environments. 24 Have you ever heard of that table? 25 A. I've heard of this subject and I'd need to</p> <p style="text-align: right;">178</p>	<p>1 association?</p> <p>2 A. Um --</p> <p>3 Q. Do you need to look at the book?</p> <p>4 A. No, I don't need to look at the book.</p> <p>5 Q. It's right here (indicating).</p> <p>6 A. I know.</p> <p>7 Q. And you're disputing the fact that they say 8 sufficient evidence of a causal relationship and 9 sufficient evidence of an association?</p> <p>10 A. Let me explain why I dispute that. They did 11 not use enough evidence. They cited a very minimal 12 amount of paper -- of papers and peer reviewed journals 13 to talk about this trade paper of the I.O.M. 2004, and 14 that's what I consider it and that's what many of my 15 colleagues the I.O.M. paper of 2004. It was a 16 lackluster exam of very few proper peer reviewed papers. 17 MS. ERSOFF: Move to strike as nonresponsive. 18 BY MS. ERSOFF:</p> <p>19 Q. Yet, you cite to the World Health Organization 20 dampness and mold as support for your opinions in this 21 case; correct?</p> <p>22 A. That's correct.</p> <p>23 Q. And in Section 4.1.5 conclusions, the 24 World Health Organization stated that they agreed with 25 the Institute of Medicine that there was insufficient</p> <p style="text-align: right;">180</p>
<p>1 review these.</p> <p>2 Q. So take a look at these two tables, then I'm 3 going to ask you a few questions about them.</p> <p>4 A. Are these going to be become exhibits?</p> <p>5 Q. I've just identified them as next in order.</p> <p>6 A. Okay. 7 (Examining documents.) 8 Okay.</p> <p>9 Q. Now, with regard to the association between 10 health outcomes and exposure to damp indoor 11 environments, the Institute of Medicine concluded that 12 there were no outcomes that met the definition of 13 sufficient evidence of a causal relationship. 14 Do you agree with that?</p> <p>15 A. Do I agree with what they say?</p> <p>16 Q. Do you agree that this was the conclusion of 17 the Institute of Medicine?</p> <p>18 A. I agree that that's what's written in their 19 conclusion.</p> <p>20 Q. Okay. And this was also their conclusion with 21 regard to the association between health outcomes and 22 the presence of mold; correct?</p> <p>23 A. I believe they said that.</p> <p>24 Q. Did the Institute of Medicine distinguish 25 between a causal connection and evidence of an</p> <p style="text-align: right;">179</p>	<p>1 evidence of a causal relationship with any of the health 2 outcomes reviewed; correct?</p> <p>3 A. In that one sentence, yes.</p> <p>4 Q. Thank you.</p> <p>5 Did you bring any other peer reviewed articles 6 or scientific data with you which specifically states 7 that there has been an established causal connection 8 between exposure to mycotoxins and adverse human health 9 effects?</p> <p>10 A. Yes. The second article Eduard and it's called 11 molds.</p> <p>12 Q. Can you show it to me, please?</p> <p>13 A. I think it's somewhere in this group of papers 14 (indicating). 15 THE WITNESS: Larry, on your list, I think it's 37 16 or 31. 17 MR. PARRISH: (Handed papers to the witness.) 18 THE WITNESS: You have a copy of this? 19 MS. ERSOFF: I just don't know which one you're 20 referring to. 21 BY MS. ERSOFF:</p> <p>22 Q. Can you show me specifically where it says that 23 causal connection has been established between exposure 24 to mycotoxins and human health effects. 25 A. On Page 806 of Eduard, it's a review article of</p> <p style="text-align: right;">181</p>

<p>1 fungal spores. A critical review of toxicologic 2 and epidemiologic evidence on a basis of occupational 3 exposure limit setting.</p> <p>4 On Page 806 they talk about metabolites and 5 explaining what mycotoxins are. And then they talk 6 about being -- that they're potent toxins on Page 807. 7 They go through what they are, which organisms produce 8 the toxins, and how they have been shown to be 9 aspergillus flavus produces aflatoxin which is 10 carcinogenic. One example.</p> <p>11 MS. ERSOFF: Move to strike as nonresponsive. 12 THE WITNESS: Well, you asked that.</p> <p>13 BY MS. ERSOFF:</p> <p>14 Q. No. I want to know where it specifically says 15 that a causal connection between exposure to mycotoxins 16 and human health effects has been conclusively 17 established.</p> <p>18 A. Throughout this whole Page 806, 807, and 19 Page 809, they talk about all the exposures of these 20 toxins and these molds. And then they actually go 21 through on Page 813 how these organisms are eliminated 22 and the number of spores that they have contaminated 23 these animals with, and they get sick. These are animal 24 studies.</p> <p>25 Q. They're not human studies; right?</p> <p style="text-align: right;">182</p>	<p>1 in other patients.</p> <p>2 Anguidine was taken off the market by F.D.A. 3 because it showed a causation as a mycotoxin causing the 4 same problems in these patients.</p> <p>5 MS. ERSOFF: Move to strike as nonresponsive. 6 THE WITNESS: Well, they cite Anguidine in this 7 page.</p> <p>8 BY MS. ERSOFF:</p> <p>9 Q. I just want to know where the exact language is 10 in that paper that I asked you about or something really 11 close to it.</p> <p>12 A. I can't recall that right now.</p> <p>13 Q. Well, you have the paper in front of you. Do 14 you want to take a few moments to look at it?</p> <p>15 A. I can read it for hours and tell you, but I 16 would prefer not to.</p> <p>17 Q. Well, I would prefer that you give me an answer 18 to my question.</p> <p>19 A. I don't know where it is.</p> <p>20 Q. Either it's there or it's not there, because 21 you really know this paper quite well.</p> <p>22 A. I don't know where it is.</p> <p>23 Q. Is it there or isn't it there?</p> <p>24 A. I don't know.</p> <p>25 Q. Okay. We're going to go off the record and</p> <p style="text-align: right;">184</p>
<p>1 A. There are no human studies that would done with 2 fungal.</p> <p>3 Q. So you cannot read into the record where it 4 says that exposure to mycotoxins has been conclusively 5 established to be causally connected to human health 6 effects?</p> <p>7 A. Yes, I can.</p> <p>8 Q. Okay. Great.</p> <p>9 A. And I want to cite --</p> <p>10 Q. Because I've asked it three times, four times.</p> <p>11 A. Well, it finally -- you asked it enough that it 12 tickled my memory.</p> <p>13 Q. Oh, I'm so glad.</p> <p>14 A. I am happy, too.</p> <p>15 Q. Okay.</p> <p>16 A. On my paper on mycotoxins --</p> <p>17 Q. No. I'm asking about this paper.</p> <p>18 A. No, I'm going to refer to my paper and then 19 which will refer to this.</p> <p>20 They talk about Anguidine. Anguidine was used 21 in the 1970s. It's a mycotoxin. It was used in cancer 22 patients to use for chemotherapy. It's a trichothecene. 23 It caused -- it caused as a causation the same problems 24 that trichothecenes do in patients like the one we have 25 in this case. It causes the same problems that we have</p> <p style="text-align: right;">183</p>	<p>1 you're going to look for it. Okay? Because I'm 2 entitled to know.</p> <p>3 A. You can stay here for hours.</p> <p>4 Q. It's not going to take you hours to see if that 5 language is in there.</p> <p>6 You said that this paper supports --</p> <p>7 A. Ma'am, we don't need to fight. I'm just 8 telling you. It's up to you, I'll just --</p> <p>9 Q. You know, we can come back tomorrow, so that's 10 just fine.</p> <p>11 A. I'm not going to be here tomorrow.</p> <p>12 Q. The deposition is noticed to go day to day, so 13 if you feel you need to take hours, that's fine.</p> <p>14 MR. PARRISH: Are we off the record you said?</p> <p>15 MS. ERSOFF: We can go off the record while he reads 16 that article. That's fine.</p> <p>17 MR. PARRISH: You said we were going off the record. 18 Are we going off the record?</p> <p>19 MS. ERSOFF: That's fine.</p> <p>20 THE VIDEOGRAPHER: Off the record. The time is 21 3:06. 22 (A recess was taken from 3:06 p.m. to 3:10 p.m.) 23 THE VIDEOGRAPHER: We're back on record. The time 24 is 3:10. 25 ///</p> <p style="text-align: right;">185</p>

1 BY MS. ERSOFF:
 2 **Q. Okay. Have you had an opportunity to peruse**
 3 **the articles "Fungal spores, a critical review of the**
 4 **toxilogical and epidemiological evidence as a basis for**
 5 **occupational exposure limit setting"?**
 6 A. I have.
 7 **Q. Okay. And have you had an opportunity to find**
 8 **for me references to conclusive findings of a causal**
 9 **connection between exposure to mycotoxins and adverse**
 10 **human health effects?**
 11 A. I believe I have.
 12 **Q. Okay. Can you refer me to that language,**
 13 **please.**
 14 A. I believe I will. On Page 807 under summary,
 15 they're talking about fungal spores being different from
 16 chemical agents, as spores contain multiple components
 17 such as allergens, antigens, polysaccharides.
 18 And then they talk -- they go down to the
 19 middle of the page, "Many fungi produce large numbers of
 20 spores that are adapted to aerial dispersion.
 21 High-exposure situations are therefore often related to
 22 handling of moldy material. Fungi may produce
 23 mycotoxins, MVOC, and enzymes, which often are
 24 allergenic. Actinomycetes are Gram-positive bacteria
 25 for which the growth and sporulation resemble that of

186

1 filamentous fungi, but they have smaller spores."
 2 And then I would go on --
 3 MS. ERSOFF: Move to strike as nonresponsive.
 4 THE WITNESS: Well, on Page 810, common indoor
 5 environments, Section 6.2, second paragraph -- we'll
 6 talk about first paragraph.
 7 "Fungal levels in common indoor environments
 8 without fungal problems are much lower than in the
 9 highly contaminated environments described above." In
 10 the previous paper or previous paragraphs.
 11 Second paragraph, "The main source of fungi in
 12 office environments is outdoor air. As outdoor air
 13 often is filtered before it enters the ventilation
 14 system."
 15 And they talk about spores being easily
 16 dispersed by heating ventilation and air-conditioning
 17 systems. And then they talk -- they bring in, in the
 18 third paragraph, in a non-problem indoor environment
 19 outdoor fungi dominate. They talk about how these
 20 organisms effect the respiratory system of patients.
 21 That is causation.
 22 MS. ERSOFF: Move to strike as nonresponsive.
 23 BY MS. ERSOFF:
 24 **Q. Anything else, Doctor?**
 25 A. Yes, I have more.

187

1 **Q. Okay.**
 2 A. On Page 812, in a rabbit study -- the third
 3 paragraph, in a rabbit study, Thurston, et al., found a
 4 small fraction of spores in the digestive system and no
 5 fungi could be cultured in a week after exposure.
 6 And they're talking about how these rabbits got
 7 ill. In summary, they show different results even for
 8 the same species of fumigatus. Dissemination in other
 9 organs than the lung and the digestive system were
 10 observed only for the facultative pathogenic organisms.
 11 And they talk about the presence and the
 12 elimination of these organisms.
 13 MS. ERSOFF: Move to strike as nonresponsive.
 14 THE WITNESS: On Page 817, "The inflammatory
 15 response to spores is mainly nonallergenic in
 16 occupational populations," -- this is under 8.4. --
 17 "although, allergic diseases such as allergic asthma,
 18 allergic rhinoconjunctivitis, and hypersensitivity
 19 pneumonitis can be induced by exposure to fungi.
 20 MS. ERSOFF: Move to strike as nonresponsive.
 21 THE WITNESS: I'm citing the things that you asked
 22 me to.
 23 BY MS. ERSOFF:
 24 **Q. I asked you for specific language that states**
 25 **that there has been a conclusive finding of a causal**

188

1 **connection between exposure to mycotoxins and human**
 2 **health effects.**
 3 **Can you show me anything in this article that**
 4 **has that language?**
 5 MR. PARRISH: I'll object to the form. I didn't
 6 hear a question. That's a comment.
 7 BY MS. ERSOFF:
 8 **Q. He didn't instruct you not to answer. Can you**
 9 **answer the question, please.**
 10 **A. Will you say -- what's the question again?**
 11 **Q. Can you cite to any specific language which**
 12 **states the following: There has been a conclusive**
 13 **finding of a causal connection between exposure to**
 14 **mycotoxins and adverse human health effects?**
 15 **A. No.**
 16 **Q. Do you have any article in the slew of articles**
 17 **that you have produced in support of your opinions today**
 18 **which support that finding?**
 19 A. No.
 20 **Q. Okay. Thank you.**
 21 **When were you first retained as an expert in**
 22 **this case?**
 23 A. You have the papers.
 24 **Q. When were you first retained as an expert in**
 25 **this case?**

189

1 A. I don't recall.
2 **Q. What's your --**
3 A. I don't know.
4 **Q. -- approximation?**
5 A. I don't know.
6 **Q. 2009?**
7 A. In this case?
8 **Q. Yes.**
9 A. No.
10 **Q. 2011?**
11 A. I have to review my document that shows -- that
12 I gave you. My contract.
13 **Q. Okay.**
14 MS. ERSOFF: Do you have a copy of his file that he
15 can look at?
16 THE WITNESS: I brought everything.
17 MR. PARRISH: It's already in.
18 MS. ERSOFF: Okay.
19 THE WITNESS: The contract. It shows -- it's from
20 my M.S.C.P.A., it showed when Mr. Morse signed the
21 contract.
22 Oh, you want me to go through this?
23 (Examining documents.)
24 Didn't we make that an exhibit? It's not here.
25 I can't find it.

190

1 MR. PARRISH: Let's see.
2 THE WITNESS: This is what I did -- oh, here it is.
3 It's Exhibit 2 at the very bottom. And your
4 question is?
5 BY MS. ERSOFF:
6 **Q. When were you first retained as an expert in**
7 **this case?**
8 A. The 7th of August of 2012.
9 **Q. And --**
10 A. I'm sorry?
11 **Q. -- who were you retained by?**
12 A. Mr. Morse, my contractor.
13 **Q. What were you asked to do in regards to your**
14 **retention in this case?**
15 A. My contract states I would review and recommend
16 laboratory testing as it pertains to mold and mycotoxin
17 testing in human beings, and opine on the relationship
18 between these tests in the human environment to
19 determine causation, review the medical records of
20 Casey Connor Morse to determine past medical
21 relationships, present medical relationships, and
22 causation of these relationships with mold, mycotoxins
23 and exposures.
24 Advise legal counsel regarding mold and
25 mycotoxin relationships and how it relates to the

191

1 subject patient. And to act as a legal/medical expert
2 for any type of hearings, depositions and court trials.
3 **Q. Did you speak with Mr. Morse on or about**
4 **August 7, 2012?**
5 A. I have talked with him once concerning this
6 contract.
7 **Q. And do you recall generally the discussion that**
8 **you had with him regarding the contract?**
9 A. No.
10 **Q. Now, you have reviewed some of Casey Morse's**
11 **medical records; correct?**
12 A. Yes.
13 **Q. Can you tell me generally which medical records**
14 **you reviewed.**
15 A. I'd have to get them out --
16 **Q. Okay.**
17 A. -- and review them. They're in my --
18 **Q. They're attached to your affidavit.**
19 A. That's right.
20 (Examining documents.)
21 Do you want me to list all of them?
22 **Q. Yes, I do.**
23 A. Okay. I have Kaye Kilburn's notes dated
24 December 8, 2010. And then I have all of his work, all
25 of -- everything that came out of his files. And then I

192

1 have the Balance Disorder Institute findings. And I
2 have notes on Casey Morse from that Balance Disorder
3 Institute of Los Angeles.
4 I have notes on Consolidated Medical
5 Bioanalysts. They're testing laboratories that was
6 ordered by Dr. Granoff (phonetic). I have more notes
7 for
8 Dr. Kilburn, his studies.
9 And I have notes from Cedars-Sinai, Dr. -- I
10 guess this is Dr. -- this is a surgical report that was
11 done on Casey and the pathologist gave the surgical
12 pathology report, so I have that.
13 And then I have the environmental H2 studies
14 that were done and in that file. And more notes from
15 Cedars-Sinai. Beverly Hills Imaging, most of the -- all
16 the laboratory parts that were used by Dr. Kilburn and
17 others to give Dr. Kilburn his ideas and his decisions
18 and his opinions.
19 And C.T. scans that were done at Cedars-Sinai.
20 **Q. Have you now described for me the universe of**
21 **medical documentation and records that you've reviewed**
22 **in association with this case?**
23 A. I believe so.
24 **Q. Have you ever spoken with any of Casey Morse's**
25 **treating physicians?**

193

1 A. No.
2 **Q. Have you reviewed --**
3 A. Oh, wait a minute. Spoken. I've talked
4 with Kaye Kilburn in the past, but not about Casey Morse
5 that I recall.
6 **Q. Did you review any of Casey Morse's medical**
7 **records which predated the exposure?**
8 A. Other than -- I think the nasal biopsy was -- I
9 don't recall when that was done for sure, but there was
10 some thought by the clinicians that this was related to
11 her environmental testing, but I don't believe I did.
12 **Q. So is your answer no?**
13 A. I don't know.
14 **Q. What other documentation did you review in**
15 **association with your work on this case?**
16 A. Other than what I've said in my affidavit and
17 what you and I have discussed right now, nothing else.
18 **Q. Did you review Ms. Morse's deposition in this**
19 **case?**
20 A. I did review that.
21 **Q. Did you bring it with you?**
22 A. It's in this affidavit.
23 **Q. Will you show me where her deposition is?**
24 A. Exhibit A of my affidavit.
25 **Q. What's the date of the deposition?**

194

1 A. Let's see. It was signed -- oh, of her
2 deposition. I'm talking about her affidavit. No, I
3 have not seen her deposition.
4 **Q. Okay. Did you ever ask to review Casey Morse's**
5 **deposition in this case?**
6 A. No.
7 **Q. Is there any reason why you didn't ask to**
8 **review Ms. Morse's deposition in this case?**
9 A. No.
10 **Q. Did you speak with Mr. Parrish in preparation**
11 **for your deposition?**
12 A. I spoke with him last night, yes.
13 **Q. What was the subject matter of the discussion**
14 **you had with him last night?**
15 A. Did he have all the papers that I have given
16 him. And I got in quite late and we had dinner and we
17 just discussed what papers he had, and that was it.
18 **Q. Did you discuss your testimony with**
19 **Mr. Parrish?**
20 A. Other than be honest and tell you what needs to
21 be addressed.
22 **Q. Anything else?**
23 A. No.
24 **Q. And you're prepared to give your final opinions**
25 **here today; correct?**

195

1 A. I am.
2 **Q. Do you feel that there's any information that**
3 **you have not had an opportunity to review which is**
4 **hindering your ability to give your final opinions in**
5 **this case?**
6 A. No.
7 **Q. Now, we already talked about the Institute of**
8 **Medicine's findings in -- that were memorialized in the**
9 **book Damp Indoor Spaces and Health; correct?**
10 A. Yes.
11 **Q. Do you know of any peer reviewed scientific**
12 **literature which refuted the findings of the**
13 **Institute of Medicines 2000 Damp Indoor Spaces and**
14 **Health report?**
15 A. I have given what I believe refutes that. You
16 and I have had discussions about that, so I believe the
17 World Health Organization refutes that.
18 **Q. Okay. Despite the fact that the World Health**
19 **Organization states that they are in agreement with the**
20 **Institute of Medicine that there are insufficient --**
21 **that there is insufficient evidence of a causal**
22 **relationship with any of the health outcomes reviewed?**
23 A. Yes.
24 **Q. Health outcomes reviewed, excuse me.**
25 A. Yes.

196

1 **Q. Are you familiar with the A.A.A.A.I.?**
2 A. Yes.
3 **Q. You're not a member of the A.A.A.A.I.; correct?**
4 A. Correct.
5 **Q. And are you familiar with the A.A.A.A.I.'s**
6 **official position statement entitled "The medical**
7 **effects of mold exposure" which was published in**
8 **February of 2006?**
9 A. Yes.
10 **Q. And would you agree that the A.A.A.A.I.**
11 **concluded following extensive research in their position**
12 **statement that the occurrence of mold-related toxicity**
13 **from exposure to inhaled mycotoxins in the**
14 **non-occupational setting is not supported by the current**
15 **data and it's occurrence is improbable?**
16 MR. PARRISH: Object to the form.
17 THE WITNESS: No, I do not agree with that.
18 BY MS. ERSOFF:
19 **Q. What part of that do you not agree with?**
20 A. Your first sentence, extensive research.
21 **Q. And you disagree with how I'm quoting it or you**
22 **disagree with their findings?**
23 A. I disagree with their statement, extensive
24 research, extensive findings. They didn't have
25 extensive findings. They published this trade paper

197

<p>1 that is authored by individuals who had no research 2 background and were very selective in how they picked 3 evidence of papers.</p> <p>4 Q. Now, with regard to mycotoxins, you would agree 5 with me that no mycotoxin testing was ever performed at 6 Casey Morse's apartment; correct?</p> <p>7 A. Correct.</p> <p>8 Q. What are mycotoxins?</p> <p>9 A. Mycotoxins, as I explained earlier in this 10 deposition, are metabolites that are produced by 11 microgan- -- by the fungi and they can be present in the 12 spores or they can be present in the hyphy or the root 13 structures of these organisms.</p> <p>14 Q. And you would agree, then, not all molds 15 produce mycotoxins; correct?</p> <p>16 A. Would you say that one more time.</p> <p>17 Q. You would agree with me that not all molds 18 produce mycotoxins?</p> <p>19 A. I have no evidence to back that statement up, 20 that not all molds. The studies reflect at the present 21 time that molds produce mycotoxins. There are not 22 extensive studies to show that all molds do not produce 23 or any molds do not produce toxins.</p> <p>24 Q. Are there studies that have conclusively 25 established that all molds produce mycotoxins?</p> <p style="text-align: right;">198</p>	<p>1 Q. You would agree with that?</p> <p>2 A. Yes.</p> <p>3 Q. And you would also agree that the strength of 4 association between exposure to mycotoxins and human 5 health effects has not yet been established; right?</p> <p>6 A. No. That's -- I would not agree with that.</p> <p>7 Q. And what scientific literature can you point 8 to, and I mean peer reviewed scientific literature, that 9 has established the strength of association between 10 exposure to mycotoxins and human health effects?</p> <p>11 A. I can give you the example that I did earlier 12 of Anguidine which is a mycotoxin that was actually 13 injected into humans.</p> <p>14 MS. ERSOFF: Move to strike as nonresponsive. 15 Can you read back my question. 16 And I'm focusing on peer reviewed scientific 17 literature. 18 THE WITNESS: That is a peer reviewed scientific 19 literature. 20 BY MS. ERSOFF: 21 Q. Okay. Did you bring it with you? 22 A. I cited that in my paper. 23 Q. Did you bring the paper with you? 24 A. No. 25 Q. Okay.</p> <p style="text-align: right;">200</p>
<p>1 A. No. But that doesn't -- well, I won't -- no.</p> <p>2 Q. And even molds that are capable of producing 3 mycotoxins do not always produce them; correct?</p> <p>4 A. That is correct.</p> <p>5 Q. Okay. And it's also a correct statement that 6 mycotoxin production does not always occur and it's 7 depending on many things including the physiology and 8 genetics of the organism, as well as the amount of 9 moisture, light and temperature; correct?</p> <p>10 A. Correct.</p> <p>11 Q. For example, not all species of stachy botryous 12 produce mycotoxins; correct?</p> <p>13 A. I'm not familiar with being that inclusive.</p> <p>14 Q. Well, can you point to any scientific 15 literature which supports the position that all species 16 of stachy botryous produce mycotoxins?</p> <p>17 A. No.</p> <p>18 Q. Would you agree with me that the species 19 capable -- the species of stachy botryous capable of 20 producing mycotoxins do not always produce mycotoxins?</p> <p>21 A. Yes.</p> <p>22 Q. And you would agree that absent mycotoxin 23 testing, there's no way to establish the presence of 24 mycotoxins; right?</p> <p>25 A. Yes.</p> <p style="text-align: right;">199</p>	<p>1 A. But I cite the paper in my documents of my 2 exhibits. I didn't bring all of those papers either.</p> <p>3 MR. PARRISH: Move to strike as nonresponsive 4 everything after "no." 5 BY MS. ERSOFF: 6 Q. Now, you were first contacted by Casey Morse's 7 attorney, Larry Parrish, in December 2010; correct?</p> <p>8 A. It could have been around there. I don't 9 recall exactly when. Yes, I was -- no, it was -- 10 MS. ERSOFF: What's the next exhibit number? 11 THE REPORTER: We are on 25. The book is going to 12 be Number 24. 13 MS. ERSOFF: Thank you. 14 MS. ERSOFF: I'm going to identify for the record 15 Exhibit Number 25 which is a December 30, 2010 E-mail 16 from Dennis Hooper to Kaye Kilburn. 17 (Deposition Exhibit Number 25 was marked for 18 identification, a copy is attached hereto.) 19 BY MS. ERSOFF: 20 Q. Can you please take a look at Exhibit 25. 21 A. (Witness complies.) 22 Q. Do you recall sending this E-mail to 23 Dr. Kilburn on December 30, 2010? 24 A. I do. 25 Q. Okay. And this is the same E-mail address you</p> <p style="text-align: right;">201</p>

<p>1 used to send me the World Health Organization article; 2 correct?</p> <p>3 A. That's correct.</p> <p>4 Q. Okay. And this E-mail to Dr. Kilburn says, 5 "Kaye, I was contacted by Larry Parrish, attorney, 6 concerning the above patient. He said you would order 7 the total mycotoxin test if I sent the requisition to 8 you. So here it is. Hope you are well and are enjoying 9 the holidays. We can ship a kit to this patient if you 10 send me the address. Thanks, Dennis."</p> <p>11 And then in handwriting with an asterisk, it 12 says, "E-mailed Casey's mailing address to Dr. Hooper 13 and faxed him filled out requisition form, 12/30/2010."</p> <p>14 And in the subject line of the E-mail, it says 15 "Casey Morse."</p> <p>16 So does this refresh your recollection that you 17 were contacted by attorney Larry Parrish in or about 18 December 2010 regarding Casey Morse?</p> <p>19 A. It does refresh my memory.</p> <p>20 Q. How often do you work with Dr. Kaye Kilburn?</p> <p>21 A. I think we've done maybe four or five cases. 22 We published a paper together.</p> <p>23 Q. And pursuant to your representations in this 24 E-mail, did you ship the mycotoxin test kit directly to 25 Casey Morse once you received her address?</p> <p style="text-align: right;">202</p>	<p>1 give a cup and it can be a clean catch or it can be just 2 a catch and it doesn't have to be early morning. It can 3 be any time during the day. And three-fourths full be 4 placed in the tube and closed up. The tube be 5 identified as to patient's name, date of birth and date 6 collected, and sent in.</p> <p>7 Q. You have -- strike that.</p> <p>8 When did Casey Morse collect her urine pursuant 9 to these instructions?</p> <p>10 A. I would have to go to the actual results of the 11 test. 12 (Examining documents.) 13 Okay. On Exhibit D, I think. I had the 14 requisition.</p> <p>15 Q. The requisition is attached to this E-mail.</p> <p>16 A. Yeah, this is the unsigned requisition.</p> <p>17 A requisition has to be signed and then at the 18 very bottom when we -- when the RealTime Lab gets it 19 back, at the very bottom there's notes that the lab tech 20 says when it was received.</p> <p>21 Q. Can you pull out that signed copy for me.</p> <p>22 A. Well, where is it? Where is the results? 23 (Examining documents.) 24 It's Exhibit 3. Do you need --</p> <p>25 Q. Exhibit 3. Let me -- I'm getting all my stacks</p> <p style="text-align: right;">204</p>
<p>1 A. I don't ship anything. The lab would if they 2 had her address.</p> <p>3 Q. Okay. So based upon the contents of this 4 E-mail, do you believe that the lab shipped the 5 mycotoxin test to Casey Morse?</p> <p>6 A. I would want to believe so, yes.</p> <p>7 Q. What does the kit consist of?</p> <p>8 A. It consists of the requisition, which is what 9 you see on the second page. It consists of instructions 10 to the patient on how to collect the urine sample. It 11 consists a tube of -- a plastic tube that contains the 12 name of RealTime Lab on the tube. It contains a credit 13 card authorization -- method of payment, in other words. 14 And I said the instructions. And then there's an 15 envelope that the specimen is placed in to -- it's 16 placed in a biohazard bag and then it's placed in this 17 envelope and sent to the lab.</p> <p>18 Q. Okay. And so when a patient such as 19 Casey Morse receives this kit, there's instructions on 20 how she's supposed to take her own urine sample?</p> <p>21 A. That is correct.</p> <p>22 Q. And how would Casey Morse take her own urine 23 sample?</p> <p>24 A. I don't know how Casey Morse would do it, but 25 our instructions are to collect this urine sample -- we</p> <p style="text-align: right;">203</p>	<p>1 mixed up.</p> <p>2 A. Yep. I can understand that.</p> <p>3 Q. Is it part of your affidavit that --</p> <p>4 A. No.</p> <p>5 Q. -- that is attached to it?</p> <p>6 A. No. Exhibit 3 doesn't have all the -- 7 Exhibit 3 isn't in my affidavit.</p> <p>8 Q. I'm not sure. Was that in one of the folders 9 or was this --</p> <p>10 A. That's what I brought.</p> <p>11 Q. Oh, okay. I'm going to want to get a copy of 12 that as well.</p> <p>13 Now, what does the bottom portion of the 14 requisition form tell you?</p> <p>15 A. Okay. This tells us that the date that this 16 specimen was received was the 10th of January of 2011 17 and it was sent to us by Fed Ex and we received it 18 11:30 a.m. And it gave the tracking number of the 19 Fed Ex.</p> <p>20 Then it -- was a requisition complete, we 21 circle yes. The payment was by credit card. And the 22 note says that -- because I was checking to see who the 23 credit card was billed to. We always have that issue. 24 As D. Hooper billed credit card on 1/7/11 per 25 cardholder's request.</p> <p style="text-align: right;">205</p>

<p>1 And the cardholder was Robert Morse because we 2 had the paid receipt and we have the RealTime Lab 3 personnel who signed for this and then the excession 4 number, which is our specific identifying number of the 5 patient's specimen. 6 Q. Now, under R.T.L. personnel, whose initials was 7 that? 8 A. I think that is -- I don't recall. I don't 9 know. We have eight personnel staff that can excession 10 and bill, so I would be guessing. 11 Q. So you have no idea, as you sit here today, who 12 received Casey Morse's sample; correct? 13 A. No. What we have to do is if we -- we have 14 initials that -- the tech's initial and they sign their 15 name and we have that in our lab. So I have an idea who 16 that is, but I can't tell you for sure. 17 Q. So as you sit here today, you cannot tell me 18 who received Casey Morse's sample; correct? 19 A. You're correct. 20 Q. What is R.T.L. a session number mean? 21 A. That is our unique identifying number that 22 starts with an "R" to stand for RealTime, then 01 was 23 the first, that's the month, 11 is the year, and the 24 20 -- Number 20 was the specimen number. 25 Q. Who filled out the requisition form with regard</p> <p style="text-align: right;">206</p>	<p>1 Q. So you have no way of knowing, as you sit here 2 today, what date Casey Morse took her urine sample; 3 correct? 4 A. That is correct. 5 Q. And you can't tell me, as you sit here today, 6 how long after Casey Morse took her urine sample she 7 sent it back to RealTime Laboratories; correct? 8 A. That's correct. Other than the fact we know it 9 was by Fed Ex. 10 Q. Can you tell me what Casey Morse did with her 11 urine sample after -- between the time she took her 12 urine and she sent it to RealTime Laboratories? 13 A. I don't know. 14 Q. Okay. Can you show me the chain of custody 15 form documenting the handling of Casey Morse's urine 16 sample? 17 A. There is no chain of custody form. In a 18 clinical specimen, the chain of custody form is a 19 requisition, unless it's a drug test. Every laboratory 20 has requisitions only, unless a parental or paternity 21 test or maternity test -- well, paternity test, and/or a 22 drug test. That's the only ones that require by law a 23 chain of custody. 24 Q. So where on this requisition form does it 25 indicate who actually tested Casey Morse's urine?</p> <p style="text-align: right;">208</p>
<p>1 to the bottom part of it? 2 A. Under RealTime Lab? 3 Q. Yes. 4 A. It's that tech who received it. 5 Q. The mystery tech? 6 A. Well, I'll tell you who I think it is. 7 Q. I don't want you to speculate. 8 A. It's not a mystery tech. We do not have 9 mystery techs there. 10 Q. Okay. But it's a tech who -- 11 A. That's inappropriate to say. 12 Q. Thank you. 13 It's the tech whose name you don't know, as you 14 sit here today; correct? 15 A. That's right. 16 Q. Okay. How long after Casey Morse took her 17 urine sample did she send it back to you? 18 A. She said that the date that she collected it is 19 up in the middle portion is the date specimen was 20 collected it says, the 10th of January 2011. And that 21 can't be, so I don't know. 22 Q. Okay. So you don't -- 23 A. So that's an error, because if it was received 24 on the 11th and it came from California, it couldn't 25 have been collected on --</p> <p style="text-align: right;">207</p>	<p>1 A. It doesn't. 2 Q. Who tested Casey Morse's urine? 3 A. I don't know. It would be in our reqs. 4 Q. So as you sit here today, you have no way of 5 telling me who tested Casey Morse's urine; correct? 6 A. No. 7 Q. Is that correct? 8 A. That is correct. 9 Q. What evidence do you have with you here today 10 that the urine sample that was received by your lab was 11 the urine sample that was actually tested pursuant to 12 the mycotoxin panel report form? 13 A. Say that again. 14 Q. Well, typically a chain of custody form is used 15 so that there is a chain of custody documenting the fact 16 that the sample that was received by the lab is the same 17 sample that was tested by the lab. 18 What evidence do you have, as you sit here 19 today, which confirms that the sample received by 20 Casey Morse is the same sample that was analyzed by 21 RealTime Laboratories? 22 MR. PARRISH: Object to the form. No foundation. 23 THE WITNESS: First of all, your presumption that 24 every urine that goes into a clinical lab has a chain of 25 custody is incorrect. Only -- and I stated that</p> <p style="text-align: right;">209</p>

<p>1 before -- a drug test and a paternity test are the only 2 ones that are required a chain of custody. A clinical 3 requisition is considered the important part of a 4 clinical patient, so we do not -- and no laboratory -- 5 no clinical lab has chain of custody unless it's for 6 those two tests. 7 BY MS. ERSOFF: 8 Q. So what evidence do you have that you can show 9 me that the mycotoxin panel report form is based upon 10 the testing of Casey Morse's urine? 11 A. Would you -- that's difficult to understand 12 your question. 13 Q. Well, someone's urine was tested; right? 14 A. That's correct. 15 Q. What evidence do you have that it was 16 Casey Morse's urine? 17 A. It's identified on the tube that comes with the 18 requisition, and we as a clinical lab, trust that 19 result -- trust that statement that's on the tube and 20 that's the chain of custody. That's a legal chain of 21 custody for clinical specimens. 22 Q. And I requested that you bring that 23 documentation here with you today. 24 A. This is it (indicating). 25 Q. I requested that you bring that specific</p> <p style="text-align: right;">210</p>	<p>1 A. No. 2 Q. Well, you can't show me any evidence -- 3 A. I don't need to show you. I as the medical 4 director, review quality assurance, quality control and 5 I know when I review those results that come out, or my 6 designee, that those results have been handled correctly 7 by the standard operating procedures. 8 If there's any deviation, we have a deviation 9 in our S.O.P.'s that are listed, and there were no 10 deviations in the S.O.P.'s for Casey Morse's state of 11 testing. 12 MS. ERSOFF: Move to strike as nonresponsive. 13 BY MS. ERSOFF: 14 Q. You personally didn't handle Casey Morse's 15 urine when it came into the laboratory; correct? 16 A. No. 17 Q. So you would have to assume that the urine was 18 handled in accordance with RealTime Laboratories' set of 19 protocols; correct? 20 MR. PARRISH: Object to the form. 21 THE WITNESS: No. 22 BY MS. ERSOFF: 23 Q. Can you tell me what personal knowledge you 24 have with regard to how Casey Morse's urine was handled 25 from the time the Fed Ex package was received by</p> <p style="text-align: right;">212</p>
<p>1 documentation here with you today pursuant to the 2 request for production of documents, didn't I, Doctor? 3 A. You did. 4 Q. And you didn't bring it with you, did you? 5 A. No, I did not. 6 Q. Okay. Thank you. 7 How did Casey Morse store her urine sample 8 until she sent it to you? 9 A. We don't know. 10 Q. When a urine sample comes into 11 RealTime Laboratories, do you have a set of protocols as 12 to how that urine is supposed to be handled? 13 A. Yes. 14 Q. Was Casey Morse's urine handled in accordance 15 with this custom and practice? 16 A. Yes. 17 Q. Where is that documented? 18 A. In the lab. 19 Q. Can you show me where it's documented that 20 Casey Morse's urine was handled in accordance with 21 RealTime Laboratories's documented set of protocols? 22 A. No. 23 Q. And so as you sit here today, you're assuming 24 that RealTime Laboratories' protocols were followed with 25 regard to the handling of Casey Morse's urine; correct?</p> <p style="text-align: right;">211</p>	<p>1 RealTime Laboratories, specifically who received it and 2 what did they do with it? 3 A. That's in RealTime Lab's documentation. 4 Q. Can you tell me, as you sit here today, 5 specifically who received it and what did they do with 6 it? 7 A. I cannot. 8 Q. Okay. With regard to the mycotoxin panel test 9 that was performed on Casey Morse's urine, you didn't 10 actually perform that test; correct? 11 A. Correct. 12 Q. Okay. And with regard to the specific 13 mycotoxin panel test that was performed on Casey Morse's 14 urine, where were the plates, the mycotoxin antibodies, 15 and the mycotoxins purchased from? 16 A. I'm not at liberty to talk about that. 17 Q. Was it Neogen? 18 A. I'm not at liberty to talk about that. 19 Q. Do you want me to read your deposition where 20 you testified that these specific plates, mycotoxin 21 antibodies and mycotoxins were purchased from Neogen? 22 A. And it may be. I don't know where -- I can't 23 tell you where they were purchased. 24 Q. In 2011, where was RealTime Laboratories 25 purchasing the plates and mycotoxin antibodies and</p> <p style="text-align: right;">213</p>

<p>1 mycotoxins from? 2 A. I don't know. 3 Q. Doctor, you understand your testimony is under 4 penalty of perjury; correct? 5 A. Correct. 6 Q. Was Neogen one of companies that you were 7 purchasing plates, mycotoxin antibodies and mycotoxins 8 from in or about January of 2011? 9 A. I believe so. 10 Q. Okay. 11 A. To clarify previously, I do not know which 12 plates were used with Casey Morse's urine, which 13 product. 14 Q. And you have no way of confirming it, as you 15 sit here today; correct? 16 A. Correct. 17 Q. With regard to the ochratoxin, were those 18 plates purchased from Neogen in January of 2011? 19 A. I don't know. 20 Q. Where else would those plates have been 21 purchased from? 22 A. There's a number of companies that make 23 ochratoxin A plates. 24 Q. And you purchased the ochratoxin and aflatoxin 25 plates from Neogen in January of 2011; correct?</p> <p style="text-align: right;">214</p>	<p>1 ochratoxin antibody and the ochratoxin already on them 2 are intended to be used for the testing of human urine? 3 A. No. 4 Q. Do they state that they are specifically not 5 intended to be used for the testing of human urine? 6 A. I don't believe so. 7 Q. Do they say anything like that? 8 A. I don't know. 9 Q. Do you instructions from Neogen indicate that 10 the materials are used to test corn, barley, green 11 coffee and various dried fruits? 12 A. Yes. 13 Q. And the product inserts from Neogen indicates 14 that they're selling these materials specifically for 15 the testing of foods; correct? 16 A. I don't -- I don't know that for sure. 17 MS. ERSOFF: I'm going to attach as Exhibit 26, a 18 product insert from Neogen for the detection of 19 mycotoxins. 20 (Deposition Exhibit Number 26 was marked for 21 identification, a copy is attached hereto.) 22 BY MS. ERSOFF: 23 Q. Will you take a look at this, please. 24 A. Thank you. 25 Q. You've seen this product insert before or one</p> <p style="text-align: right;">216</p>
<p>1 A. I don't know for sure. 2 Q. Where do you buy the controls from? 3 A. I don't know. 4 Q. Is there any reason you don't have this 5 information? 6 A. I don't do the work that much anymore. 7 Q. In 2011 were you doing the work? 8 A. I don't recall what it was back then. I don't 9 know what -- where we bought the controls from. 10 Q. With regard to the ochratoxin plates that you 11 have purchased from Neogen, were you telling them you 12 were using the materials to test human urine? 13 A. Yes. 14 Q. And who did you tell this to at Neogen? 15 A. I don't recall. 16 Q. And when you received the materials from 17 Neogen, did they have manufacturer instructions with 18 them? 19 A. I don't know. 20 Q. Have you ever read the manufacturer 21 instructions with the materials you received from 22 Neogen? 23 A. Yes. 24 Q. And do you recall if the manufacturer 25 instructions indicate that the plates with the</p> <p style="text-align: right;">215</p>	<p>1 that closely resembles it? 2 A. I believe I've seen this a long time ago, yes. 3 Q. Do you see the pictures of all the foods on 4 each of the pages, picture -- 5 A. I do. 6 Q. Yes? 7 A. I do, yes. 8 Q. -- pictures of corn and coffee and barley and 9 wheat and peanuts? 10 A. Yes. 11 Q. Does this product insert from Neogen state 12 anywhere that it's to be used for the testing of human 13 urine? 14 A. No. It's also not the test we do. 15 MR. PARRISH: Did you make that an exhibit? 16 MS. ERSOFF: I did. 17 MR. PARRISH: What's the number? 18 THE REPORTER: 26. 19 BY MS. ERSOFF: 20 Q. Have you ever spoken with the head of product 21 safety at Neogen regarding your use of their product to 22 test human urine? 23 A. No. 24 Q. I'm going to read from your deposition in 25 Bailey versus Equity Residential taken October 4, 2011.</p> <p style="text-align: right;">217</p>

<p>1 Question -- and it's on Page 71, Line 20 to 25. 2 Question: "And it is your testimony that 3 Neogen -- strike that. 4 I'm going to go from Line 16 through 25 on 5 Page 71. 6 "Neogen indicates that they're selling these 7 materials for the testing of foods. You use them to 8 test human urine?" 9 Answer: "Correct." 10 Question: "Okay. And is it your testimony 11 that Neogen knows you're doing this?" 12 Answer: "Yes." 13 Question: "Okay. Did you ever talk to the 14 head of product safety at Neogen?" 15 Answer: "I believe so." 16 A. My answer was, I believe so. 17 MS. ERSOFF: There's no question pending. Move to 18 strike. 19 MR. PARRISH: Well, did he answer the question that 20 was pending? 21 MS. ERSOFF: Oh, he said no. It's on the record 22 when I asked him. I'm just reading his testimony now. 23 MR. PARRISH: And you asked him a question about 24 that testimony? 25 MS. ERSOFF: No, I didn't. I just read it into the</p> <p style="text-align: right;">218</p>	<p>1 BY MS. ERSOFF: 2 Q. Do you have records showing revalidation from 3 Neogen and the other companies that you're currently 4 buying your materials from? 5 A. Yes. 6 Q. Did you produce those here today? 7 A. No. 8 Q. Those were requested as part our document 9 demand, weren't they? 10 A. I don't control those. 11 Q. And do you also have documents showing the 12 validation studies that you've performed on the Neogen 13 kit? 14 A. Do I personally have those? 15 Q. Yes. 16 A. No, I don't have them. RealTime Lab does. 17 Q. Right. And you're the director of 18 RealTime Laboratories; correct? 19 A. I am the contracted director. I'm not the 20 owner of RealTime Labs and I cannot release those 21 without -- 22 Q. When did you do those validation studies? 23 A. 2005. 24 Q. And that was prior to using the Neogen kit; 25 correct?</p> <p style="text-align: right;">220</p>
<p>1 record, Counsel. I wasn't asking him any question. 2 MR. PARRISH: Well, you didn't ask him whether he 3 said that or not. 4 MS. ERSOFF: Counsel, I don't have to. The code 5 says I can read deposition testimony at any time. 6 That's what I was doing. 7 MR. PARRISH: Okay. There was no question on the 8 floor. I object to that. I move that that be stricken. 9 BY MS. ERSOFF: 10 Q. At the time you did your validation study, you 11 were not using the materials -- strike that. 12 At the time you did your validation study, you 13 were not buying your materials from Neogen, were you? 14 A. That's correct. 15 Q. You were buying the materials from 16 Enviro Logics; correct? 17 A. We bought them for doing the validations from 18 Enviro Logics and then we converted to our own product 19 for our validations because we had a complaint from 20 Dr. Saxon to Enviro Logics saying that he was going to 21 call the F.D.A. if Enviro Logics kept selling them to 22 us. 23 MS. ERSOFF: Move to strike as nonresponsive the 24 testimony starting with "we had a complaint." 25 ///</p> <p style="text-align: right;">219</p>	<p>1 A. That was the original validations and we have 2 continued to validate. 3 Q. Who did those validation studies? 4 A. I oversaw them and the techs, technicians. 5 Q. Which technicians did those validation studies? 6 A. I don't know. 7 Q. Can you name any of them, as you sit here 8 today? 9 A. No. 10 Q. And what controls did you use for the reference 11 range when you did Casey Morse's ochratoxin test? 12 A. I don't know. 13 Q. And you can't tell me, as you sit here today? 14 A. No. 15 Q. How many patient's urine did you run in the 16 validation study? 17 A. In the negative validations, we ran 56, I 18 believe. And in the validations for showing sensitivity 19 and specificity, it was well over 120. 20 Q. And the people that you ran the results in the 21 reference range -- strike that. 22 What is a normal versus abnormal? 23 A. It is our contention by the validations that 24 anything over the limit of detection is abnormal or 25 present.</p> <p style="text-align: right;">221</p>

<p>1 Q. And what's the limit of detection?</p> <p>2 A. In aflatoxin it's 1.0 parts per billion,</p> <p>3 ochratoxin it's 2.0 parts per billion and trychothecene</p> <p>4 is 0.2 parts per billion.</p> <p>5 I need to take a break.</p> <p>6 MS. ERSOFF: Let's go off the record.</p> <p>7 THE VIDEOGRAPHER: Off the record. The time is</p> <p>8 4:04.</p> <p>9 (A recess was taken from 4:04 p.m. to 4:09 p.m.)</p> <p>10 THE VIDEOGRAPHER: We're back on the record. The</p> <p>11 time is 4:09.</p> <p>12 MS. ERSOFF: Okay. We have agreed to suspend this</p> <p>13 session of the deposition. We have not finished with</p> <p>14 Dr. Hooper. We will return for Volume II to my</p> <p>15 Los Angeles office on November 12, which is a Monday, at</p> <p>16 9:00 o'clock in the morning to hopefully conclude the</p> <p>17 deposition.</p> <p>18 With regard to Volume I, the court reporter is</p> <p>19 relieved of her duties under the code with regard to the</p> <p>20 handling of the transcript. The original transcript</p> <p>21 will be forwarded to counsel for Ms. Morse who will make</p> <p>22 the transcript available to Dr. Hooper to review, to</p> <p>23 make any changes that he deems necessary and to sign</p> <p>24 under penalty of perjury.</p> <p>25 Dr. Hooper will notify his counsel and his</p> <p style="text-align: right;">222</p>	<p>1</p> <p>2</p> <p>3</p> <p>4</p> <p>5</p> <p>6</p> <p>7</p> <p>8</p> <p>9</p> <p>10</p> <p>11</p> <p>12</p> <p>13</p> <p>14</p> <p>15</p> <p>16</p> <p>17</p> <p>18</p> <p>19</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p> <p>25</p> <p style="text-align: right;">224</p>
<p>1 counsel notify me of any changes to the transcript and</p> <p>2 of the signing of the transcript within seven days of</p> <p>3 receipt of the transcript.</p> <p>4 If the original transcript is lost, stolen,</p> <p>5 misplaced or otherwise unavailable, a certified unsigned</p> <p>6 copy can be used in its place as the original.</p> <p>7 Mr. Parrish agrees to produce the original</p> <p>8 transcript upon reasonable request and to produce the</p> <p>9 original transcript at trial.</p> <p>10 So stipulated?</p> <p>11 MR. PARRISH: So stipulated.</p> <p>12 MS. ERSOFF: Okay.</p> <p>13 THE VIDEOGRAPHER: This is the end of disk Number 3</p> <p>14 of Volume Number I. The time is 4:11 and we're off the</p> <p>15 record.</p> <p>16</p> <p>17 *****</p> <p>18 (Deposition Exhibit Number 27 was marked for</p> <p>19 identification, a copy is attached hereto.)</p> <p>20 (The deposition of DENNIS HOOPER, M.D, Volume I, was</p> <p>21 continued at 4:11 p.m, October 31, 2012.)</p> <p>22 (End of Volume I. Declaration under penalty of</p> <p>23 perjury on the following page hereof.)</p> <p>24</p> <p>25</p> <p style="text-align: right;">223</p>	<p>1 ***</p> <p>2</p> <p>3</p> <p>4</p> <p>5</p> <p>6 I do solemnly declare under penalty of perjury,</p> <p>7 under the laws of the State of California, that the</p> <p>8 foregoing is my deposition under oath; that these are</p> <p>9 the questions asked of me and my answers thereto; that I</p> <p>10 have read same and have made the necessary corrections,</p> <p>11 additions, or changes to my answers that</p> <p>12 I deem necessary.</p> <p>13 In witness whereof, I hereby subscribe my name</p> <p>14 this _____ day of _____, 2012.</p> <p>15</p> <p>16</p> <p>17 _____</p> <p>18 WITNESS SIGNATURE</p> <p>19</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p> <p>25</p> <p style="text-align: right;">225</p>

1 CERTIFICATION
2 OF
3 CERTIFIED SHORTHAND REPORTER
4

5 I, the undersigned, a Certified Shorthand
6 Reporter of the State of California do hereby certify:

7 That the foregoing proceedings were taken
8 before me at the time and place herein set forth; that
9 any witnesses in the foregoing proceedings, prior to
10 testifying, were placed under oath; that a verbatim
11 record of the proceedings was made by me using machine
12 shorthand which was thereafter transcribed under my
13 direction; further, that the foregoing is an accurate
14 transcription thereof.

15 I further certify that I am neither
16 financially interested in the action nor a relative or
17 employee of any attorney of any of the parties.

18 IN WITNESS WHEREOF I have this date
19 subscribed my name Katherine McCoy



20
21 Dated: _____

22 Certificate Number 11157
23
24
25